

UNITED STATES COURT OF FEDERAL CLAIMS

THERESA CEDILLO AND MICHAEL)
CEDILLO, AS PARENTS AND)
NATURAL GUARDIANS OF)
MICHELLE CEDILLO,)
)
Petitioners,)
)
v.) Docket No.: 98-916V
)
SECRETARY OF HEALTH AND)
HUMAN SERVICES,)
)
Respondent.)

REVISED AND CORRECTED COPY

Pages: 1791 through 2071
Place: Washington, D.C.
Date: June 20, 2007

HERITAGE REPORTING CORPORATION
Official Reporters
1220 L Street, N.W., Suite 600
Washington, D.C. 20005-4018
(202) 628-4888
hrc@concentric.net

IN THE UNITED STATES COURT OF FEDERAL CLAIMS

THERESA CEDILLO AND MICHAEL)
 CEDILLO, AS PARENTS AND)
 NATURAL GUARDIANS OF)
 MICHELLE CEDILLO,)
)
) Petitioners,)
)
 v.) Docket No.: 98-916V)
)
) SECRETARY OF HEALTH AND)
) HUMAN SERVICES,)
)
) Respondent.)

Ceremonial Courtroom
 National Courts Building
 717 Madison Place NW
 Washington, D.C.

Wednesday,
 June 20, 2007

The parties met, pursuant to notice of the
 Court, at 9:02 a.m.

BEFORE: HONORABLE GEORGE L. HASTINGS, JR.
 HONORABLE PATRICIA CAMPBELL-SMITH
 HONORABLE DENISE VOWELL
 Special Masters

APPEARANCES:

For the Petitioners:

SYLVIA CHIN-CAPLAN, Esquire
 KEVIN CONWAY, Esquire
 Conway, Homer & Chin-Caplan, P.C.
 16 Shawmut Street
 Boston, Massachusetts 02116
 (617) 695-1990

1792

APPEARANCES: (Cont'd.)

Also for the Petitioners:

CLIFFORD J. SHOEMAKER, Esquire
Shoemaker & Associates
9711 Meadowlark Road
Vienna, Virginia 22812
(703) 281-6395

For the Respondent:

VINCENT J. MATANOSKI, Esquire
ALEXIS BABCOCK, Esquire
U.S. Department of Justice
Civil Division
Torts Branch
P.O. Box 146
Ben Franklin Station
Washington, D.C. 20044-0146
(202) 616-4122

Heritage Reporting Corporation
(202) 628-4888

1793

C O N T E N T S

WITNESSES:	DIRECT	CROSS	REDIRECT	RECROSS	VOIR DIRE
For the Respondent:					
Brian J. Ward	1795	1868	1918	1921	--
Stephen A. Bustin	1933	2038	2060	2062	--
	--	--	--	2069	--

1 PROCEEDINGS

2 (9:02 a.m.)

3 SPECIAL MASTER HASTINGS: All right. Good
4 morning to all here in the courtroom and at home.

5 We are going to start this morning with
6 which witness?

7 MR. MATANOSKI: It will be Dr. Ward.

8 SPECIAL MASTER HASTINGS: This is Dr. Ward.
9 All right. Dr. Ward is at the witness stand.

10 Who is going to do the examination, Mr.
11 Matanoski?

12 MR. MATANOSKI: I'm sorry, sir?

13 SPECIAL MASTER HASTINGS: It will be Ms.
14 Babcock doing the examination?

15 MR. MATANOSKI: Yes, sir, it will.

16 SPECIAL MASTER HASTINGS: All right.

17 MR. MATANOSKI: I apologize. I don't rise
18 to speak to the Court just because I'm afraid the
19 microphone won't -- I certainly would prefer to give
20 you the courtesy.

21 SPECIAL MASTER HASTINGS: That's fine. I
22 understand. We want the people at home to be able to
23 hear everything.

24 All right. Ms. Babcock?

25 Dr. Ward, I'm going to ask you to raise your

WARD - DIRECT

1 right hand, please.

2 Whereupon,

3 BRIAN J. WARD

4 having been duly sworn, was called as a

5 witness and was examined and testified as follows:

6 SPECIAL MASTER HASTINGS: Okay. Please go

7 ahead.

8 DIRECT EXAMINATION

9 BY MS. BABCOCK:

10 Q Good morning, Dr. Ward.

11 A Good morning.

12 Q Could you please state your name for the

13 record?

14 A Brian Ward.

15 Q And what is your profession?

16 A I'm a medical doctor at McGill University.

17 Q And could you briefly describe your

18 collegiate and medical education?

19 A I started in the French junior college

20 system in 1971 and didn't get a paying job until 1992,

21 much to the distress of my parents.

22 I went through junior college, which is a

23 peculiarity of Quebec, which allowed me accelerated

24 access to medical school. I found myself in medical

25 school at age 19 and three years later decided I

1796A

WARD - DIRECT

1 didn't want to be a doctor, won a little bit of an
2 academic lottery and went to Oxford on a Rhodes
3 scholarship where I did some research.

4 I then, holding my nose, came back to
5 medicine, finished the medical degree in 1981 and then
6 again left medicine and went to be an archeological
7 dig doctor for a year. I came back to medicine and
8 then left again to be a volunteer in refugee camps in
9 Thailand for a couple of years.

10 I finally returned to Johns Hopkins where I
11 completed my internal medicine residency, then
12 infectious diseases, to be recruited back to McGill
13 University, coming full circle, only to discover that
14 Quebec didn't recognize my U.S. medical specialty, and
15 I had to be a resident again for another year in
16 microbiology. That was 1992, so 20 years later I had
17 a job.

18 Q Now, to be clear, when you were at Hopkins,
19 did you -- you studied infectious diseases?

20 A Yes. I completed the internal medicine
21 residency and infectious diseases, two years of which
22 was devoted to research in Diane Griffin's lab.

23 Q So you studied the measles virus?

24 A Yes. She offered me the opportunity to go
25 back to Peru to study measles, and I didn't think too

WARD - DIRECT

1 long about it.

2 Q Now, are you board certified?

3 A Board certified in internal medicine and
4 infectious diseases in the U.S. and internal medicine
5 and what in Quebec is called infectiology, which
6 combines microbiology and infectious diseases in the
7 province of Quebec.

8 Q And what responsibilities do you have at
9 McGill University?

10 A Well, I'm currently the very happy retired
11 chief of Infectious Diseases. I am the ex-chief of
12 the Division of Infectious Diseases, returning to my
13 lab just in 2006, actually the beginning of 2007.

14 My current responsibilities are as a member
15 of the Division of Infectious Diseases. I'm the
16 associate director of the Tropical Diseases Center,
17 and I run a national reference lab for the country in
18 parasite diagnostics, so anybody who has a weird
19 parasitic disease in Canada we get the sample, and we
20 work in close collaboration with the CDC in Atlanta.

21 Q And do you also hold teaching positions at
22 McGill?

23 A Yes. I'm an active teacher at the graduate
24 and undergraduate level.

25 Q And have you published in the field of

1798A

WARD - DIRECT

1 infectious diseases and virology?

2 A Yes. Yes. There are several areas of
3 activity in virology, infectious diseases and
4 vaccines.

5 Q And this includes book chapters and
6 articles?

7 A Yes, that's right.

8 Q And what is the current focus of your
9 research?

10 A The research is divided. What at first
11 blush may seem an illogical pairing of viruses and
12 intracellular parasites, but viruses are also
13 intracellular parasites and so the immune response to
14 those two classes of organisms is very similar so my
15 research focuses on viruses and also the intracellular
16 parasites like malaria, leishmania, diseases of the
17 developing world and the immune response and
18 strategies to treat and prevent those infections.

19 Q And have you ever testified in a legal case
20 before?

21 A Four, including this one. One civil case in
22 Canada. I've helped the Quebec Vaccine Injury
23 Compensation Program. It's the only province in
24 Canada that has a similar program. One case there and
25 one prior case in the U.S. Vaccine Injury Compensation

Heritage Reporting Corporation
(202) 628-48

WARD - DIRECT

1 Program in Toledo.

2 Q Now, what materials did you review in
3 preparation for your testimony today?

4 A I reviewed everything I was sent and some of
5 the medical literature that I was directed to by the
6 reports.

7 Measured in pounds or inches, it was a lot.
8 Probably about four or five inches worth of documents
9 at least.

10 Q And have you been here to listen to the
11 testimony of Petitioners' experts or reviewed the
12 transcript?

13 A I have been here all week. Yes.

14 Q Now, I wanted to start with immunology and
15 basic biology. Dr. McCusker is going to talk about
16 this in more depth, but do you also have experience
17 with vaccine immunology?

18 A Yes. I've been very interested in vaccine
19 immunology, particularly as it pertains to measles and
20 respiratory viruses.

21 Q Okay. Is immune status a static entity?

22 A Immune status is absolutely not a static
23 entity.

24 Q I believe we're switching to Slide 3 now.

25 A Immune status changes over time. It changes

1800A

WARD - DIRECT

1 from day to day, week to week and certainly over the
2 lifetime of an individual.

3 Dr. McCusker is certainly going to address
4 one of the comments made by Dr. Byers in her testimony
5 that it was entirely acceptable to assess a child's
6 immune status using adult normal ranges and so I just
7 pulled one simple, single example that's I think
8 relatively straightforward from a lovely paper done
9 recently by the AIDS Clinical Trial Group, Pediatric
10 AIDS Clinical Trial Group, where they look at CD4
11 cells, CD8 cells and B cells over time in children in
12 the U.S.

13 I think it should be fairly obvious to all
14 of you. You can see the CD4 cell counts in children.
15 The first three red dots are at zero to three, three
16 to six and six to 12 months. Obviously there's a
17 wobble around each of these measurements, but you can
18 certainly understand quite easily just visually how by
19 looking, how by taking a normal range as dictated by,
20 for example, the 12- to 18-year-old values that that
21 would be wildly inappropriate to use as a normal range
22 for assessing a six to 12-month-old child.

23 Chris McCusker will certainly talk about
24 this in greater detail.

25 Q Now, in broad strokes what types of

1801A

WARD - DIRECT

1 immunocompromise are there or immuno suppression, and
2 can you immunize kids who have some sort of
3 immunocompromise?

4 A Well, in listening to the testimony of last
5 week it was quite clear to me that many of the expert
6 witnesses were mixing terms and using equivalencies
7 that don't really exist and so what I thought I would
8 try to do, again quite simply and to be amplified by
9 Dr. McCusker, try to distinguish between immune
10 suppression, immune defects and what's being termed an
11 unbalanced or dysregulated immune response. Yes?

12 SPECIAL MASTER HASTINGS: Let me interrupt
13 for a minute.

14 I'll note for the record that Dr. Ward here
15 is also showing a series of slides. He's now begun to
16 talk about Slide No. 4.

17 Let's also mark this handout that contains
18 paper copies of his slides as the Respondent's Trial
19 Exhibit No. 12, I believe.

20 Go ahead. Sorry, Dr. Ward. I interrupted
21 you. Go ahead.

22 THE WITNESS: No problem. I apologize at
23 one level for the relative simpleness of some of these
24 slides, but I think it is very useful to just get a
25 broad understanding of some of the terms that we're

WARD - DIRECT

1 talking about.

2 Immuno suppression in a medical sense is a
3 relatively crude phenomenon. If someone is immuno
4 suppressed it's a big deal. Most immuno suppressive
5 things target cellular immunity. Antibody type
6 immunity is relatively spared, and there are many
7 things that cause fairly powerful immuno suppression:
8 high dose steroids, Azathioprine or Imuran, anti-TNF
9 alpha therapies.

10 All of these things would be considered to
11 be potently immuno suppressive and therefore from a
12 medical point of view quite risky. It should not be
13 lost on you that several of these agents were
14 administered to Michelle Cedillo during her therapy.

15 There are other things as well that can be
16 acquired naturally. For example, HIV is towards the
17 end of its evolution powerfully immuno suppressive, as
18 is wild-type measles. Prior to the discovery of HIV,
19 measles was considered to be the most potent immuno
20 suppressive virus known in its wild type form, but not
21 measles virus vaccine.

22 BY MS. BABCOCK:

23 Q To just sort of follow up, I want to
24 emphasize that. Does measles vaccine cause immuno
25 suppression?

1803A

WARD - DIRECT

1 A Measles vaccine causes changes in immune
2 cell phenotype and the functioning of some cells in
3 the test tube -- that was my work in Diane Griffin's
4 lab -- but it does not that anybody knows cause
5 clinical immune suppression, so a state of suppressed
6 immunity that is relevant to the child.

7 There are also many known immune defects and
8 probably many to be discovered. So that we now know
9 that there are individuals who have parts of their
10 immune system specifically deleted. They're gone
11 genetically.

12 These defects can involve the innate, the
13 cellular or the antibody sections of the immune
14 system, and in some cases they can involve multiple
15 areas, the so-called severe combined immunodeficiency
16 type children, the ones that we know in the lay press
17 as bubble children where they're missing several parts
18 of their immune system and they are horribly
19 susceptible to a wide range of microorganisms.

20 What's very important to understand in the
21 context of this case is that these immune defects are
22 rarely, if ever -- I broke one of my rules by putting
23 an absolute onto this slide where I say never, but
24 I'll modify it slightly and say rarely, if ever -- are
25 they pathogen specific so that if you are

WARD - DIRECT

1 immunocompromised in some way because of an
2 immunologic defect then you are generally susceptible
3 to a range of organisms, similar organisms.

4 So, for example, if you're missing your
5 antibody production capacity you are highly
6 susceptible to one group of viruses, polio and the
7 other enteroviruses, three encapsulated bacteria and,
8 bizarrely, one gastrointestinal parasite, but none of
9 these immune defects are pathogen specific. That
10 really hasn't been reported.

11 Q Now, are you aware of any populations where
12 individuals known to be immuno suppressed received the
13 measles vaccine?

14 A Well, I've done a fair bit of work in Africa
15 and have been involved with recruiting large cohorts
16 of mothers and their babies in Zimbabwe over the last
17 10 years.

18 When we initiated this study in 1996 we were
19 trying to prevent mother-to-child transmission of HIV
20 using Vitamin A. It turns out it didn't work,
21 although everybody thought it should work. It was a
22 hypothesis that proved to be incorrect.

23 Q Now, this is kind of an obvious question
24 perhaps, but is it fair to say that children in Africa
25 have HIV?

1805A

WARD - DIRECT

1 A Well, in our study, Zimbabwe has one of the
2 highest rates of HIV prevalence in the world, and in
3 our study at recruitment the mothers were 33 percent
4 positive for HIV across all socioeconomic strata and
5 so we recruited and followed 14,000 women and their
6 babies to see the development of HIV in the children
7 and see if we could prevent it.

8 In our study alone we watched unfortunately
9 almost 1,400 babies become HIV positive, and there was
10 nothing that we could do about it. The mortality rate
11 in those HIV positive babies was almost 50 percent in
12 the first two years of follow-up, which suggested that
13 even though they started life with a relatively normal
14 immune system that many of them became immunologically
15 abnormal and susceptible to a wide range of infections
16 at various times through their first two years of
17 life.

18 Q Now, did these children receive
19 vaccinations?

20 A All of these children received MMR unless
21 they were clinically obviously ill at the time that
22 they should have received it.

23 In Zimbabwe they receive measles, monovalent
24 measles, at the age of nine months of age. That's the
25 WHO recommendation in fact.

1806A

WARD - DIRECT

1 Q And to the best of your knowledge, do they
2 also receive thimerosal-containing vaccines?

3 A Yes. To the best of my knowledge, the large
4 majority of the EPI vaccines are distributed in
5 multivalent vials containing thimerosal.

6 Q Now, according to your research --

7 A I'm sorry. EPI is Expanded Program on
8 Immunizations, which delivers vaccines to the
9 developing world at no or low cost.

10 Q Thanks. Now, is there any evidence for an
11 increase in autism spectrum disorders?

12 A Well, you would think with the huge burst,
13 the epidemic of HIV that is, really destroying a large
14 part of the African population that one would, if
15 there was a true association between thimerosal-
16 containing vaccines, measles vaccination and autism
17 that we would have seen a huge burst in the incidence
18 of autism.

19 As it turns out, autism is actually fairly
20 rarely reported in Africa, probably because of the
21 societal acceptance of children who are a little bit
22 different from the norm, but still one would have
23 anticipated an increase in reporting of autism in
24 Africa with this massive change in the number of
25 children receiving what the Plaintiffs' experts are

1806B

WARD - DIRECT

1 arguing is an immuno suppressive combination leading

1807A

WARD - DIRECT

1 to autism.

2 That hasn't been reported either in my
3 experience or in the experience in the literature, and
4 I wrote to one of my pediatric colleagues with whom I
5 work. He thought it was an interesting question, and
6 he sent a letter out to 17 of his Zimbabwean
7 colleagues all over the country and said "are you guys
8 seeing any Zimbabwean children with autism?" They all
9 wrote back and said "no, not us. No change."

10 So that's not in the literature, but there
11 isn't a dramatic increase in the incidence of autism
12 that's been reported in the literature.

13 Q Now, are you aware of populations where
14 there would be questions about immune balance?

15 A Well, then we have to go back to this slide
16 of the third category, a broad category of immune
17 balance.

18 This idea of immune balance is relatively
19 new. I can remember very specifically as a
20 postdoctoral fellow in Diane Griffin's lab I walked
21 into her office with Tim Mossman's paper when Tim
22 Mossman was at DNAX, and I said this is the answer to
23 measles.

24 You'll have a chance to meet Diane next
25 week, and you'll understand that she is used to

WARD - DIRECT

1 postdoctoral fellows walking in with revolutionary
2 ideas. She said yeah, yeah, maybe. We'll see.

3 That paper proved to be a paradigm changing
4 paper where they had identified the counterbalance
5 cytokine to gamma interferon where they demonstrated
6 that Interleukin-4 and gamma interferon lived in
7 balance with each other in mice to direct the immune
8 responses to a wide range of organisms.

9 What's very important to tell you is that
10 while pathogen specific abnormal immune imbalance can
11 exist, and the best example is actually from measles
12 and closely related viruses. If you have a general
13 state of TH1 or TH2 predominance in one's ability to
14 respond that is never pathogen specific. That means
15 that that's how you respond to almost everything if
16 you have a tendency to respond in a TH1 or TH2 way.

17 I'll just briefly digress to explain the
18 measles vaccine imbalance that was described. In the
19 early 1960s, in the mid 1960s actually, the first
20 measles vaccine that was invented was an inactivated
21 measles vaccine.

22 We now know a great deal about what happened
23 with that vaccine in terms of the basic science, but
24 the clinical science was that children who received
25 that vaccine appeared to have a normal immune

WARD - DIRECT

1 response, but when they were subsequently exposed to
2 the wild-type virus they made an aberrant TH2 deviated
3 immune response to the measles, the wild-type measles
4 that they were exposed to, and they developed a much
5 more severe disease.

6 They didn't develop TH2 deviated immune
7 responses to anything else; only to the measles, and
8 so you can initiate an immune memory that is aberrant
9 and immune deviated, but it is pathogen specific.

10 A very similar experience was replicated
11 with the initial respiratory syncytial vaccine where
12 there was pathogen specific immune deviation, but
13 those children who had aberrant responses to RSV did
14 not have aberrant responses to any other virus or
15 pathogen they were exposed to.

16 I think we should go to the next slide.

17 Q Now, what determines the balance in any
18 given immune response?

19 A Well, there's no denying that there are
20 genetic influences on immune balance. Many, many
21 people take the cartoon of TH1/TH2, the very simple
22 thing, and say look at this. This cytokine is up.
23 Therefore, this is a problem. Or, this particular
24 immune parameter is down. Therefore, that indicates.
25 That's just not true at all. The TH1/TH2 balance is a

1810A

WARD - DIRECT

1 very dynamic system with dramatic genetic influences.

2 The best example in basic science is the
3 difference between the BALB/c mouse, which you see on
4 the left side, the nice, little white lab mouse, and
5 on the other side the black 6 mouse, which is taken
6 from a recent Nature article, and the tail of the
7 mouse is spinning out into a DNA molecule because they
8 were discussing the genetic influences on immunity.

9 These two mice, these two inbred mouse
10 species, are known by investigators to be TH1 biased
11 or TH2 biased, so you can cheat a little bit. If you
12 are trying to develop a vaccine where you want to
13 demonstrate a really good antibody, well, you would
14 kind of be an idiot to use the black 6 mouse. You
15 would use the BALB/c mouse because they are biased
16 towards the production of really good antibody
17 responses.

18 Similarly or oppositely, if you're trying to
19 develop a vaccine that requires a good cellular immune
20 response you can help your experimental design by
21 using the black 6 mouse because you know that it is
22 biased towards the production of cellular immune
23 responses.

24 This does not mean, even in these powerfully
25 immune deviated genetically inbred mice, that the

1811A

WARD - DIRECT

1 BALB/c mice do not generate cellular responses or that
2 the black 6 mice do not generate antibody responses.
3 They do. They just tend to lean one way or the other,
4 but you can readily measure antibodies in black 6 and
5 cellular immunity in BALBs when you're doing it.

6 This relative balance shifts in mice just as
7 it does in human beings from day to day, week to week
8 and with events. When you're stressed, when you are
9 tired. I suspect that the paralegals on both sides
10 have imbalances in their immune systems right now, and
11 if we were to measure we could probably identify one
12 or two -- probably the lawyers too -- one or two
13 abnormalities that would correct over a period of time
14 with good food and some sleep.

15 However, there are some populations that are
16 known to be kind of BALB/c-ish or black 6-ish. They
17 tend to have antibody responses or responses that lean
18 towards TH1 or TH2, and the classic examples would be
19 asthma, allergy and, for example, parasitic diseases.

20 So asthma and allergies, children with
21 asthma and allergies tend to respond more like BALB
22 mice. They're kind of BALB type people, while other
23 people, families that have absolutely no allergies,
24 asthma and no parasitic infections, tend to respond a
25 little bit more like black 6s. It's a little bit

1811B

WARD - DIRECT

1 humbling to think of

1812A

WARD - DIRECT

1 yourself in terms of inbred mouse strains, but it
2 tends to be true.

3 While there is in vitro evidence, you can
4 show changes following measles immunization that look
5 like TH2 bias. They are transient, and they are not
6 known to have any clinical significance.

7 This was in fact the work that I did with
8 Diane Griffin in her lab. We were the first to
9 demonstrate that there was a TH2 response to measles
10 and to measles vaccination, but we never claimed that
11 there was any clinical significance to these events
12 that we could measure in test tubes.

13 Q Now, Dr. Byers last week discussed
14 extensively cytokines inflammation. Did you have a
15 comment on her testimony?

16 SPECIAL MASTER HASTINGS: And now we're
17 moving to Slide 6.

18 THE WITNESS: Slide 6. Sorry. I think it's
19 useful just to take a step back again and try to put
20 cytokines into perspective as sort of a reality check.

21 There's nothing mysterious about cytokines,
22 although we're getting a lot of them. Cytokines are
23 essentially messages sent from one cell to another so
24 that individual cells can communicate with each other
25 and tissues can communicate across large distances in

1813A

WARD - DIRECT

1 the body. Cytokines act locally and some act at great
2 distances.

3 What needs to be put into perspective is
4 that some cytokines, particularly the unnumbered ones
5 and the early numbered ones like IL1, IL2, are
6 actually produced in very large quantities, and they
7 are not terribly well regulated. They're -- from the
8 point of view of the body, they're kind of sloppily
9 produced. They're produced fast, sloppy, and they
10 elicit in many cases these proinflammatory responses.

11 The first one, IL1, was initially discovered
12 because when you injected it into an animal you got
13 fever, high fever. It was called endogenous pyrogen,
14 subsequently called Interleukin-1.

15 The later numbered cytokines, the ones that
16 we are now discovering today, all have higher numbers
17 or mostly have higher numbers, and they are produced
18 in much smaller quantities. They are much more
19 tightly regulated, and they tend to do much more
20 specific things. They're much more precise.

21 What I think is really important in the
22 context of this case to understand is that these
23 proinflammatory cytokines float around in our bodies
24 in many, many circumstances, and as the father of
25 three children and a physician I know that

WARD - DIRECT

1 inflammation is certainly not rare.

2 Many children have many kinds of
3 inflammation starting with diaper rash that extends
4 from the knee to the nipples, through eczema to the
5 more severe medical conditions. Children who are
6 scalded and burned can have inflammation for months.
7 They have circulating proinflammatory cytokines that
8 are readily measurable for weeks to months.

9 Children with recurrent otitis media, which
10 is very common, children with food allergies, until
11 you figure it out, have chronic inflammation of their
12 gut with circulating proinflammatory cytokines. These
13 are not unusual situations for a child.

14 Inflammation of the brain, specifically of
15 the brain. It's fortunately much rarer, but in the
16 medical context it's not that rare. We still see lots
17 of children with viral meningoencephalitis or
18 bacterial meningitis, and children with brain
19 abscesses, subtle brain abscesses, can have
20 inflammation right in the brain for periods of weeks
21 to months before we discover what's happening.

22 As far as I'm aware, there's no known
23 association between these intense and in some cases
24 prolonged peripheral states of inflammation or even
25 the CNS inflammation with powerful cytokine release

WARD - DIRECT

1 and production right in the brain and the development
2 of autistic spectrum disorder with the exception of
3 the very destructive lesions of herpes virus
4 encephalitis. That's really the only exception.

5 BY MS. BABCOCK:

6 Q Now, Doctor, I wanted to shift back to
7 immuno suppression for a moment. Now, we heard from
8 Dr. Krigsman and other testimony that he had
9 prescribed immuno suppressants, specifically Humira
10 and Remicade, for Michelle, correct?

11 A Yes, that's true.

12 Q Would you consider these potent immuno
13 suppressants?

14 A Yes. These are drugs to be greatly
15 respected.

16 Q Now, if someone had a persistent measles
17 virus infection and started taking Humira or Remicade
18 what would you expect to happen?

19 A In most instances in an individual with a
20 persistent viral, bacterial, fungal or parasitic
21 infection that requires cellular immune responses to
22 control it, the administration of these drugs, almost
23 anyone would be fearful that these drugs would
24 reactivate these organisms and make the disease worse.

25 Q How much worse?

WARD - DIRECT

1 A In many cases dead. Dead worse. There are
2 many individuals in the medical literature who have
3 received one or another of these drugs and have had
4 their persisting infections -- viral, intracellular,
5 bacterial or parasitic -- reactivate and kill them,
6 and so these are drugs to be greatly respected.

7 Therefore, to me it seems powerfully
8 illogical that in the case at hand we have the
9 simultaneous argument that there is a persisting viral
10 infection that is controlled by cellular immunity, and
11 yet when very powerful drugs that target cellular
12 immunity to suppress it are administered that Michelle
13 got better rather than worse. This is logically
14 inconsistent to me.

15 Q Now, during her testimony last week Dr.
16 Byers recommended a paper by Ashwood as a good summary
17 of some of the immunological issues here. Does
18 Ashwood mention the MMR-ASD hypothesis?

19 A In my reading of the Ashwood article, which
20 I found very interesting to read, I couldn't find a
21 mention of the MMR-ASD hypothesis anywhere.

22 The only mention in this article of a
23 possible association with measles, if I'm not
24 mistaken, was prenatal or congenital infection with
25 wild-type measles.

WARD - DIRECT

1 Q Does Ashwood talk about vaccines at all?

2 A Not to the best of my recollection. I don't
3 believe he does.

4 Q Now, based on your review of the records is
5 there any evidence that Michelle was immuno suppressed
6 prior to her receipt of the MMR vaccine?

7 A Well, with the caveat that I'm not a
8 pediatric immunologist, I see no evidence either in
9 the frequency or severity of the infectious episodes
10 that were reported in the first year or two of life
11 that she had any degree of immuno suppression.

12 Q And again with the caveat that you're not a
13 pediatric immunologist and being more general because
14 it's a little unclear, but is there any evidence of an
15 abnormal immune system after receipt of the MMR
16 vaccine?

17 A Not from my point of view. Not at all.

18 Q Now I want to move on to viral pathology.

19 A I'm sorry. I'll take that back. From an
20 infectious point of view, I think that children who
21 develop autoimmune inflammatory conditions would be
22 considered to have abnormal immune systems, but
23 they're not immuno suppressed.

24 I think that her later evolution clearly
25 demonstrated that she had abnormal immune responses,

1818A

WARD - DIRECT

1 but I would not consider those to be the same as
2 immuno suppressed.

3 Q Okay. Did you listen -- now moving back to
4 the measles virus, did you listen to the testimony of
5 Drs. Kennedy and Byers?

6 A Yes, I did.

7 Q Did you have any concerns about how Dr.
8 Kennedy presented measles virus biology?

9 A Well, yes, I do. I've been working with
10 measles vaccine and measles virus for about 20 years.

11 Q We're now on Slide 7 for clarification.

12 A I've continued the tradition of Diane
13 Griffin slapping my wrist whenever I confused measles
14 virus with measles vaccine, and many of the
15 Petitioners' experts tended to equate them. Measles
16 virus. Measles vaccine. They're the same. They do
17 the same. Everything that relates to measles wild-
18 type is the same as measles vaccine.

19 If you would just go to Slide 8 for a second
20 and then bounce back? This is really like comparing
21 wild animals. You have the Egyptian wild cat from
22 which all domestic cats are derived on the top and a
23 lynx on the bottom and a cute little Somali cat on the
24 bottom on the right side.

25 I think that it's fair to say that the wild-

1819A

WARD - DIRECT

1 type measles virus and the vaccine strain measles
2 virus are very, very different in their biological
3 characteristics and that one shouldn't equate them.
4 One can be informed by studies in one or the other,
5 but one should be very specific about which virus one
6 is talking about in this kind of proceeding.

7 Can you go back, please? Go back to Slide
8 7. Great.

9 There were just a couple of things that I
10 thought because my understanding is that the Court is
11 going to be hearing a lot about measles and measles
12 vaccine over the next little while, and while Dr.
13 Kennedy clearly has extensive knowledge in the area of
14 HIV vaccine developments and with some other viruses,
15 far greater than my expertise, there were just a
16 couple of errors of straightforward fact that I
17 thought needed to be corrected.

18 Dr. Kennedy said that the measles virus was
19 originally attenuated in monkey kidney cells. While
20 that does apply to some other vaccines, it does not
21 apply to measles. They were -- the original vaccines
22 were attenuated in chick embryo fibroblasts.

23 He mentioned that the seed lots, the
24 vaccines, were tested in mice. The vaccine strain
25 virus -- neither the vaccine strain virus nor the

1819B

WARD - DIRECT

- 1 wild-type virus actually infect mice. They don't have
- 2 the receptors for the virus and so they cannot infect

1820A

WARD - DIRECT

1 mice, so seed lots for the vaccine are tested in dogs.

2 There's a very close genetic and protein
3 relationship between canine distemper virus and
4 measles and so dogs can actually be protected from
5 canine distemper virus by measles vaccine, which is
6 useful to know if you have a dog and have run out of
7 canine distemper vaccine. It doesn't work in reverse,
8 interestingly. You can't protect a child with canine
9 distemper vaccine from measles. We don't know why.

10 He also stated that the viruses, the vaccine
11 strains, were neurovirulence tested in mice, in
12 neonatal mice. Again, this is not true. They're
13 tested in monkeys. Lots of people make arguments that
14 they shouldn't need to do this because this is really
15 expensive, but they are still neurovirulence tested in
16 monkeys, to the best of my knowledge.

17 I think that in the heat of cross-
18 examination there may have been some mis-statements
19 because his slides certainly suggested that he was
20 aware that the virus was a single-stranded negative
21 sense RNA genome and so his statement that individual
22 genes can persist doesn't make sense.

23 The virus is not segmented. The viral

24 //

25 //

1821A

WARD - DIRECT

1 genome is not segmented, so if the genome is there all
2 of the genes are there and so you can't have
3 individual genes persisting. You can have relative
4 excess of one gene or another being transcribed into
5 mRNA, but you can't have individual genes persisting.

6 There was also some I think confusion that
7 needs to be straightened out because of the importance
8 of PCR in this proceeding of what's being amplified
9 because Dr. Kennedy seemed to be of the opinion that
10 the positive sense RNA, the antigenomic and the RNA,
11 the messenger RNA, was being amplified, but this is
12 not the case. The primers --

13 That is theoretically possible. You can do
14 that, but in the case of the Uhlmann work and I
15 believe almost all of the work done by Unigenetics
16 both primers are added, which results in the
17 amplification of all of the viral RNA present, whether
18 it's positive or negative sense.

19 If we could just go to the next slide?
20 Bounce one past the cute kittens.

21 Q If it's okay just for me to set this up?

22 A Sure.

23 Q Dr. Kennedy also spoke at length about how
24 the measles virus replicates, so I wanted to give you
25 an opportunity to comment.

WARD - DIRECT

1 A I've modified one of my slides to give you
2 the same color coding that he used with the red and
3 the blue strands.

4 If I may, so I can talk into this and still
5 point, I'll just very rapidly go through the movement
6 of the virus through any cell, and we believe this is
7 the same in any cell that the virus infects where it
8 goes from infection to release of virions.

9 The virus comes in, and I've had coffee so
10 this is going to bounce a little bit. The virus comes
11 in, and the viral genome is negative sense, okay,
12 which means that the negative sense RNA cannot be used
13 to make proteins. You can only make proteins in a
14 human cell from positive sense RNA.

15 Some viruses have positive sense RNA. They
16 go into the cell and they can use their own genome
17 straight away to make protein. That doesn't happen
18 with measles.

19 So Dr. Kennedy mentioned that they came into
20 the cell through CD46, which is true. That is
21 possible, but in fact many people believe that the
22 more important cellular receptor, at least in the
23 initial phases of the infection, is the so-called SLAM
24 molecule, which is expressed on immune cells, and so
25 most people believe that the virus enters the immune

1823A

WARD - DIRECT

1 cells almost exclusively through the SLAM receptor,
2 not the CD46 receptor.

3 The Japanese investigators at the CDC in
4 Japan believe they've found a third receptor, so this
5 may get even more complicated later in the
6 proceedings.

7 However, once the virus is in you have this
8 red strand, the negative sense strand, and the virus
9 begins to make positive sense RNA copies. One
10 peculiarity of this virus is that it transcribes the
11 front end of its genome to RNA much more successfully
12 than it does the back end of the genome and so it has
13 many, many more copies of the RNA, for example, of the
14 N gene than it does for the F or the H gene.

15 This is essentially a virus strategy for
16 building more copies of itself, and the best analogy
17 is that of a factory that's trying to make a bicycle.
18 If you're making a bicycle you only need one pair of
19 handlebars, but you need 40 odd spokes and so the
20 virus is making the spokes up at this end and the
21 handlebars down at that end and so at the end of its
22 process of producing proteins it will have the
23 appropriate proteins in appropriate ratios to make
24 viral particles. These RNA molecules --

25 These -- now positive sense RNA molecules

1823B

WARD - DIRECT

1 are

1824A

WARD - DIRECT

1 translated into the proteins which go up to the cell
2 surface where they are assembled at specific locations
3 on the cell surface into the new virions.

4 When you have a high enough concentration of
5 these proteins, the virus then switches its strategy
6 to make a copy, an antigenomic or positive sense,
7 full-length copy of itself. This is the blue strand
8 that Dr. Kennedy was talking about.

9 So these would be blue, and these would be
10 blue. This blue strand is then used by the virus to
11 again make a full-length copy of itself but now in the
12 negative sense, so it photocopies itself and
13 photocopies itself again to get into the right
14 positive versus negative sense.

15 So it makes more copies of the negative
16 stranded genomic full-length virus, and that these are
17 then wrapped up in some of the proteins that are made
18 into what's called the nucleocapsid. The nucleocapsid
19 binds to the proteins that are on the surface of the
20 cell and the virus exits. What this means --

21 SPECIAL MASTER HASTINGS: The virus what did
22 you say?

23 THE WITNESS: It exits. The virion leaves
24 the cell without killing the cell.

25 SPECIAL MASTER HASTINGS: I didn't

1824B

WARD - DIRECT

1 understand the word. Can you spell it for me?

1825A

WARD - DIRECT

1 THE WITNESS: Exit. It exits. It leaves.

2 SPECIAL MASTER HASTINGS: Exit. I'm sorry.

3 THE WITNESS: The term that most virologists
4 use is buds. It buds off the cell. I'll show you a
5 picture a little bit later of this process of the
6 virus budding off.

7 It uses part of the host cell membrane as
8 its own envelope, so it inserts its protein into the
9 host cell membrane and then it packages the
10 nucleocapsid containing the nucleic acid information,
11 and the virus buds off and goes out to be coughed out
12 and infect the next child.

13 So when Dr. Kennedy specifically stated that
14 the primers used in the Uhlmann and Unigenetics
15 targets the positive sense, the blue strands, that was
16 partly true. It does target those blue strands, but
17 it also targets these red strands, the genomic
18 negative sense RNA.

19 There will be a test later today.

20 MS. BABCOCK: Let's hope not.

21 BY MS. BABCOCK:

22 Q Now, Dr. Kinsbourne cited to an article by
23 Bosch, which I believe is a 1948 article written in
24 German. Were you able to review this article?

25 A Yes. Well, I was able to review the

1826A

WARD - DIRECT

1 translation. I took a year of college German, and it
2 was quite humbling to try to use my 20-year-old German
3 to actually read the article, but I was supplied with
4 a translation of the summary and so I was able to
5 review that.

6 Q Now, are you aware of any known association
7 between wild measles infection and autism?

8 A No. The reference to this article came as a
9 bit of a surprise and so I really wanted to get it.
10 It was reported in 1948, and the summary was basically
11 they summarized two cases of infantile dementia with
12 onset shortly after natural measles.

13 I was intrigued that Dr. Kinsbourne was
14 referencing a paper that was published in 1948, and
15 because I often like calculating things on the back of
16 napkins I immediately sat down and said well, if this
17 is true what's the possibility that this is the last
18 case of wild-type measles associated with the
19 development of autistic spectrum disorder.

20 The Expanded Program on Immunization -- so I
21 calculated that between 1948 and 1978 when measles
22 vaccines became widely available that the birth cohort
23 of the world was about nine billion children, so nine
24 billion children were born in that 30-year period.
25 The Expanded Program on Immunization came in around

1826B

WARD - DIRECT

1 1974, so the world's children started

WARD - DIRECT

1 to have access to measles vaccine around that time.

2 The U.S. Census Bureau says that there were
3 2.5 billion people in 1950, 3.7 in 1970, and so if you
4 estimate that there were three billion children per
5 year during this entire period and that one child is
6 born per year for every 100 people in the population,
7 which is roughly what happens, you get a number of
8 nine billion children being born.

9 Prior to measles immunization, every single
10 one of these children would have experienced measles
11 virus, wild-type measles virus, probably before the
12 age of three years. In addition, once the vaccine
13 started to come into the world, you have between a
14 half a million and a million children every year who
15 still got measles right up until this year.

16 And I'm not aware of there being a single
17 case report of an association between measles, natural
18 disease, and the development of ASD between 1948 and
19 2007. So, I mean, if it occurs, if there is an
20 association, it is occurring at a frequency in the
21 neighborhood of one in nine to 10 billion, which seems
22 remote.

23 Also, the authors in their own summary said
24 that a search of the available literature to them at
25 the time didn't reveal any additional cases. So even

1828A

WARD - DIRECT

1 prior to 1948, they couldn't identify any cases. So
2 this seems to me to be extrapolation from the weakest
3 of data.

4 Q Now, are you aware or do you agree with the
5 use of SSPE and MIBE as models for Michelle Cedillo in
6 this case? That is the next slide, Slide 11.

7 A Well, SSPE and measles inclusion body
8 encephalitis are essentially 100 percent fatal
9 diseases. Unless in the case of measles body
10 inclusion encephalitis unless the immuno suppression
11 of the child or the individual is reversible it is the
12 most likely outcome in both of these diseases that the
13 individual will die.

14 Human cells can be infected, human
15 astrocytes. In fact, almost all epithelial cells can
16 be infected. Most immune cells can be infected by
17 measles, and the typical pattern when wild-type or
18 vaccine strain measles infect a cell is that that cell
19 dies.

20 When replicating morbilliviruses get into
21 the brain, whether they be measles virus, canine
22 distemper virus, phocine distemper virus, when a
23 replicating virus gets into the brain in this class of
24 viruses the organism dies.

25 Dr. Kennedy stated that very clearly when he

1829A

WARD - DIRECT

1 was asked a series of questions. Phocine distemper
2 virus in the brain. The seal dies. Canine distemper
3 virus in the brain. The dog dies.

4 So while SSPE and MIBE are interesting, they
5 are certainly not models for what appears to be the
6 case in Michelle Cedillo where she has had this
7 hypothetical measles virus in her brain since it was
8 administered at 15 months of age and her clinical
9 course is stable.

10 She's alive and in many cases received
11 immuno suppressive therapies that most of us would
12 predict would make a persisting viral infection much
13 worse, and she's still alive. In fact, she's improved
14 after several of these therapies.

15 If we go on to Slide 12, SSPE is the
16 exception that in a sense kind of proves the rule
17 because it demonstrates that measles virus, wild-type
18 measles virus, again being very specific, has the
19 capacity under certain circumstances to persist, but
20 when it does it's a dead end for both the virus and
21 the host because the virus does not produce any -- or
22 if it does very rarely produces any -- virions.

23 On the left side of the slide you can see a
24 picture supplied to me by Dr. Fujinami of a neuron
25 infected with wild-type measles virus, and what you

1830A

WARD - DIRECT

1 see here are the piled up nucleocapsid, the N-gene,
2 the N-gene product, the proteins that are piled up.
3 They have massive amounts of the N protein because
4 they can't make virions.

5 On the other hand, here you have an
6 infectious B cell with viral particles budding off
7 quite happily because you have the appropriate
8 proteins in the appropriate ratios.

9 So when this virus, wild-type virus,
10 persists in SSPE it does so in a heavily mutated form
11 that is clearly abnormal. It is not present in a
12 normal replicating form, and even this form of
13 persisting virus is inevitably fatal.

14 Q Now, what are the measles antibody levels in
15 the brain of someone with SSPE?

16 A Well, as you might expect, if one has a
17 persisting viral infection but has the capacity to
18 make some of its proteins in high abundance at the
19 front end of its genome, one would expect that those
20 proteins would elicit an immune response, and indeed
21 that is the case.

22 Most children with SSPE have elevated levels
23 of antimeasles antibodies in their brains, their CSF,
24 cerebral spinal fluid, compared to their blood, and in
25 fact that's one of the very useful diagnostic criteria

1831A

WARD - DIRECT

1 for SSPE is this ratio of CSF antibody level to the
2 peripheral blood antibody level.

3 In normal circumstances it is higher in the
4 blood than it is in the CSF because the immune
5 response in a child who has measles or has been
6 vaccinated, the immune response occurs in the
7 periphery, in the tissues, not in the brain, and so
8 the antibody that gets into the brain is derived from
9 the peripheral blood, so the ratio is systemic blood
10 predominant, lower in the CSF.

11 If you have a persistent virus infection in
12 the brain that ratio is reversed, and that's one of
13 the very useful diagnostic tests for SSPE or a
14 persisting viral infection in the brain.

15 Q Now, are you aware of any testing that was
16 done on Michelle Cedillo for antibody levels or
17 persistent infection in her brain?

18 A To my knowledge, Michelle Cedillo never had
19 a lumbar puncture so there was no CSF available for
20 analysis.

21 Q And in the records did you observe any other
22 evidence of inflammation in her brain?

23 A No. It is interesting though in Dr.
24 Bradstreet's paper where he does state he isolates
25 measles virus from the brain.

1832A

WARD - DIRECT

1 Antibody levels were measured in those cases
2 and were in fact lower in the CSF than they were in
3 the periphery, so the normal pattern, not an SSPE type
4 pattern.

5 Q Now, I believe there are several sources Dr.
6 Krigsman may have cited done by Dr. Gupta and Singh
7 purporting to find elevated measles virus titers in
8 autistic children. Do you agree with these papers?

9 A That's right. There's been isolated reports
10 of an elevated peripheral blood measles antibody titer
11 in children with autistic spectrum disorder.

12 I think that I have some technical problems
13 with that paper, as have others. There have been
14 criticisms of that paper in the literature. We
15 attempted to replicate that work on our own study of
16 ASD spectrum children and were unable to replicate the
17 work. That's the D'Souza paper published just in 2006
18 in Pediatrics.

19 And I'm aware that Dr. Fujinami has also
20 tried to replicated that work and has a paper that is
21 in press which again fails to replicate the
22 observation by Singh, et al.

23 Q Now Dr. Kinsbourne cites --

24 A In fact, the autistic spectrum disorder
25 children had lower measles antibody titers than some

1832B

WARD - DIRECT

1 of the other children in Dr. Fujinami's study.

1833A

WARD - DIRECT

1 Q And that article is in press?

2 A That article is in press.

3 Q Now, Dr. Kinsbourne cites work by Carbone
4 and Oldstone, among others, for the proposition that
5 measles virus can persist in the brain without obvious
6 pathology. Do you agree with this?

7 A Well, in the case of Borna virus it clearly
8 can with either low levels or very limited
9 demonstrable pathology.

10 Dr. Carbone and I actually trained together
11 in the same neurovirology unit, so I would get in
12 trouble if I said something different from that
13 because that's her life's work.

14 However, to achieve that state of immune
15 tolerance in the Borna virus model the virus has to be
16 injected directly into the brain of perinatal rats.
17 That is clearly not what happened to Michelle Cedillo,
18 so while it is a related virus that's in the same
19 global family of mononegala viruses -- it's a negative
20 sense RNA virus; it has the possibility of persisting
21 -- it's not a great model for measles because we
22 already have the model of a persisting measles virus
23 infection in the brain, and that's called SSPE.

24 We have another biological model called
25 measles inclusion body encephalitis and so the

1834A

WARD - DIRECT

1 hypothesis that there is yet a third way in which the
2 virus and in this case the vaccine strain, which has
3 never been demonstrated to persist in SSPE, can
4 persist is completely new biology.

5 I'd also like to point out that Dr. Oldstone
6 is one of the ,minences grises of the respected
7 authorities. It means you have gray hair. No
8 disrespect intended. I'm heading that way rapidly
9 myself.

10 One of his favorite viruses, a large part of
11 his work, has been on measles virus and measles virus
12 in the brain and neurovirulent measles virus. This is
13 an area that Michael Oldstone has spent a great deal
14 of his life studying.

15 If Dr. Oldstone believed the MMR hypothesis,
16 the MMR autism hypothesis to be true and if Dr.
17 Oldstone believed the persistent measles virus in the
18 brain following MMR to be true, he would be I think
19 enormously excited by this new biology, by this new
20 data, and I'm not aware of Dr. Oldstone quoting any of
21 the literature quoted by the Petitioners' experts in
22 support of this hypothesis in any of his cutting-edge
23 work up until papers published in 2007.

24 Q Now, you touched on this earlier. I believe
25 it was Dr. Kennedy's testimony that measles virus is

WARD - DIRECT

1 part of the morbillivirus family, correct?

2 A Correct.

3 Q Now, when a morbillivirus gets into the
4 brain of the host what usually happens?

5 A Well, that was what Dr. Kennedy directly
6 testified to and is the general understanding. When a
7 replicating morbillivirus, be it measles, canine
8 distemper virus, phocine virus, gets into the brain it
9 kills people or kills the organism -- dogs, seals,
10 dolphins.

11 Q Now shifting specifically to measles virus,
12 are there any instances where measles virus can be
13 treated if it gets into the brain?

14 A Well, there's a debate about whether anybody
15 has ever successfully treated someone with a life
16 threatening measles condition, measles related
17 condition, SSPE or measles body inclusion
18 encephalitis, but people have certainly tried.

19 People have tried using high dose Ribavirin,
20 which is an antiviral drug. People have tried using
21 high dose immunoglobulins that are specifically tested
22 to make sure they have high levels of antibodies
23 against measles.

24 There are case reports of people who
25 improve, and in the case of measles body inclusion

WARD - DIRECT

1 encephalitis where some people have actually recovered
2 concomitant with recovery of some immune function in
3 individuals who are transiently immune suppressed.

4 So if one has a life threatening disease
5 like measles body inclusion encephalitis, if one has
6 replicating measles virus in the brain one could make
7 a pretty convincing argument that even though there is
8 no guarantee that you will improve with these
9 therapies that these therapies might help to control a
10 virus that has not yet been controlled by the body's
11 immune response.

12 Q Now, are you aware of whether anyone
13 considered treating Michelle Cedillo with antivirals
14 targeting measles virus in this case?

15 A Well, in my reading of the case reports
16 there was no specific recommendation that this ever be
17 administered so that no therapy directed against a
18 persisting measles virus was considered in Michelle
19 Cedillo's case.

20 As I've already pointed out, one could make
21 the argument that several of the therapies she did
22 receive for her inflammatory bowel condition would be
23 considered by many people to be risky, if not outright
24 unwise, to administer to somebody with a replicating
25 measles virus infection in their body, let alone in

WARD - DIRECT

1 their brain.

2 Q Now, you touched on this earlier. Several
3 of the Petitioners' experts have cited the article by
4 Dr. Bradstreet to extrapolate that measles virus was
5 likely in Michelle Cedillo's brain. Do you agree with
6 the conclusions Dr. Bradstreet reached?

7 A Well, for a variety of reasons I don't agree
8 with the conclusions that Dr. Bradstreet reached, for
9 one reason, because I have little confidence in the
10 testing that was performed to demonstrate the virus,
11 and the manuscript that is published does not
12 provide -- it's missing a great deal of detail that
13 would allow one to evaluate it rigorously.

14 Q Where was this paper published?

15 A It was published in the Journal of the
16 American College of Physicians and Surgeons.

17 Q And what type of journal is that? Is that
18 an indexed or a non-indexed journal?

19 A It's a journal that's produced by the
20 American College of Physicians and Surgeons and
21 distributed to their members. It is a non-indexed
22 journal, which means that it is not searchable by the
23 public search engines. You can find it by
24 specifically going to the American College website,
25 but you do not find it when you use the traditional

WARD - DIRECT

1 medical scientific literature search engines like
2 PubMed or the others.

3 Q What are the primary reasons, generally, for
4 a journal not to be indexed?

5 A Well, there are really only two reasons;
6 that the journal is brand new and has no track record
7 of publishing rigorously evaluated science, or it is
8 considered by the scientific community not to publish
9 rigorously reviewed science, and therefore, not of any
10 use to the medical scientific community, and therefore
11 not indexed.

12 Q Now, Doctor, is this a new journal?

13 A No. As far as I know, the journal has been
14 around for decades.

15 Q I wanted to move on to gut testing for
16 measles virus.

17 A If I could?

18 Q Certainly.

19 A If a colleague were to come to me with brand
20 new, never before described in man, observations, as
21 Dr. Bradstreet and his colleagues are purporting to
22 have discovered, that would shift a whole paradigm and
23 possibly explain a mysterious illness, in this case
24 autistic spectrum disorder, my advice to Dr.
25 Bradstreet would not be to publish it in the Journal

WARD - DIRECT

1 of the American College of Physicians and Surgeons.

2 That kind of landmark study, if indeed it is
3 a true study, if the results are reliable, should be
4 targeted more at Nature, Science, Journal of Clinical
5 Investigations, the high impact journals where, you
6 know, that kind of finding should be published. So it
7 is scientifically to me very odd that this work was
8 published in a non-indexed journal.

9 Q Okay. Now we'll move on the gut testing for
10 the measles virus. Now, nearly all of Petitioners'
11 experts, if not all of them, rely heavily on
12 assertions that measles virus was present, measles
13 virus RNA was present in Michelle's gut, correct?

14 A That's correct.

15 Q And this is the testing by Unigenetics?

16 A That's correct.

17 Q And Dr. O'Leary used PCR to run the testing?

18 A That's correct.

19 Q Is this a testing method you are personally
20 familiar with?

21 A Yes.

22 Q Now Dr. Hepner discussed PCR at some length,
23 and Professor Bustin is certainly going to discuss it
24 later on today, so I don't want to duplicate too much
25 here. However, in her discussion of PCR, Dr. Hepner,

1840A

WARD - DIRECT

1 was there anything you wanted to clarify or add to Dr.
2 Hepner's outline?

3 A I thought Dr. Hepner did a very good job of
4 summarizing the mechanics of PCR and explaining in an
5 understandable way how PCR works. I also thought she
6 was appropriately cautious in her interpretation of
7 her own data that she's produced with Dr. Walker,
8 where she very specifically characterized these data
9 as preliminary, unblinded, and uncontrolled.

10 Q Now, what are some of the vulnerabilities of
11 PCR? And I believe we are moving on to slide 14.

12 A Well, if I had -- if I were to take issue
13 with any part of Dr. Hepner's testimony, it would be
14 in leaving the impression that PCR is somehow a
15 magically sensitive technology where you walk in, put
16 the sample in the machine, press a button, and you get
17 truth out the other end. For those of us who have
18 struggled with PCR in our research and our diagnostic
19 laboratories, we would all wish that that were true,
20 but it is not.

21 PCR, like any other technology, is
22 vulnerable to a number of vagaries, some of which can
23 be dealt with and others of which are problematic even
24 in the best of labs. So specifically, and Dr. Bustin
25 is going to talk about this in much greater detail so

1840B

WARD - DIRECT

1 I'm just going to touch on the surface, the quality of

1841A

WARD - DIRECT

1 the RNA used is really critical; where you derive the
2 RNA from, whether you derive it from frozen tissue or
3 fresh tissue, because RNA is powerfully, is remarkably
4 labile compared to DNA.

5 DNA, if I were to do a PCR reaction with my
6 machine in this room at this time and open a tube, and
7 probably not even have to blow on it, so that if I
8 have a PCR reaction in my tube and I blow on it, this
9 room would be contaminated from months to years with
10 those little pieces of DNA, because DNA is remarkably
11 stabile. RNA is just the opposite. It is remarkably
12 labile, and so the quality of the RNA that you use to
13 generate your data is critically important, and so
14 determining what the quality of your RNA is is
15 essential to the validity of your results.

16 What I just mentioned about opening a PCR
17 reaction in your lab, or your courtroom, is probably
18 the largest Achilles' heel of PCR, because
19 contamination is very frequent even in the most
20 compulsive of labs. Each reaction, I said
21 'bazillions,' but in fact a quick calculation comes
22 out to 2.7 trillion copies with a 38 to 40 cycle PCR
23 reaction, starting with one copy. If you start with a
24 thousand copies, then you're up into numbers that I
25 don't even know the name for, and so you have my

Heritage Reporting Corporation
(202) 628-4888

WARD - DIRECT

1 'bazillion.'

2 You just have massive amounts of DNA that
3 are produced in a single PCR reaction, and so all labs
4 have to be completely compulsive about dealing with
5 all aspects of contamination, and very rigorous in
6 their standard operating procedures for dealing with
7 that inevitable contamination. If one doesn't have
8 the SOPs to anticipate the contamination, one cannot
9 produce reliable results.

10 There are many strategies that one can use,
11 doing amplifications in different rooms, putting in
12 enzymes that degrade the DNA, many different things
13 can be used, but to my reading of the Uhlmann paper,
14 not all of these procedures were satisfactory, and Dr.
15 Bustin will go into more detail.

16 The specificities of the primers and probes
17 is critical. If you do not have probes that are
18 absolutely specific, if you do not have primers that
19 are absolutely specific for the target you are
20 amplifying, then you will generate misinformation
21 rather than information, and one has to be completely
22 compulsive in evaluating your -- any PCR assay as you
23 are developing it, to make sure that the results are
24 internally consistent and do not generate false
25 positive results. That means you have to use your

1843A

WARD - DIRECT

1 best tools possible for testing the specificity of
2 your assay, and in PCR, that means sequence data.

3 The last thing is you have to maintain a
4 healthy skepticism. This is not press button, get
5 truth. You have to use your controls. You have to
6 standardize your operating procedures and your
7 analysis, because you can also jiggle the results by
8 doing things post hoc. You can get your results, you
9 can move things and change the result from positive to
10 negative and negative to positive, by manipulating the
11 data on the machine after the result is produced.

12 And so, every available means has to be used
13 to confirm these results.

14 Q Now, is it easy to interpret PCR data?
15 Maybe this is the next slide. 15?

16 A Well, absolutely not. As we discovered in
17 our work trying to optimize the primer pairs that were
18 generated by Uhlmann et al. in their publication, the
19 data is really simple to generate, but interpretation
20 requires expertise and rigorous maintenance of
21 standard operating procedures for the analyses as
22 well. As we've mentioned, you need care in
23 probe/primer selection, appropriate controls, assay
24 optimization, including lots of the machine parameters
25 -- even minor changes in machine settings

WARD - DIRECT

1 can change your result from positive to negative --
2 and we've already mentioned the strategies for
3 minimizing contamination.

4 Here are just two examples of the kinds of
5 data that you would get from the machine where you
6 would get a signal. And so here you have your
7 positive control is giving you what's called a melt
8 curve. The PCR, in some of the real-time PCR
9 manipulations, you can generate what's called a melt
10 curve, and that is the temperature at which the two
11 pieces of DNA that have been amplified will break
12 apart.

13 The closer they are to being identical --
14 each segment of DNA has a specific temperature at
15 which it will break apart, and so you can measure the
16 temperature at which it breaks apart, and that is a
17 good indication that you are looking at the sequence
18 that you think you are looking at. And so here is the
19 control melt curve temperature, so this is temperature
20 on the X axis, and here you have the melt curve
21 analysis, and here you have an unknown that is giving
22 an identical melt curve.

23 So the melt curve in this specific example
24 is yielding the expected result, and so that would
25 tend to give you some more confidence in your result.

WARD - DIRECT

1 However, you shouldn't stop there. You should then go
2 on to a gel, and using a DNA ladder to assess the size
3 of the gel. So this is a ladder that is made up of
4 different specific-sized DNA pieces, and this one here
5 is at 200 base pairs. So anything above this would be
6 higher than 200, and anything below this would be
7 lower than 200.

8 And in this specific amplification, we were
9 looking for a product that was approximately 150 base
10 pairs in size, and you can see that the positive
11 control using the Uhlmann primers, in this case I
12 think this was for F-gene, it gives you a band.
13 However, it also gives you this blurring, this messy
14 band, which suggests that there is some nonspecific
15 amplification. That's a good indication that maybe
16 your primers aren't perfect or your assay is not fully
17 optimized.

18 Here is an example of a sample where we had
19 the correct melt curve, but when we took that
20 amplified DNA and ran it out on a gel to look at how
21 big it was, there was no band at the expected size.
22 So even though it had the correct melt curve, it
23 didn't have the correct size band, and therefore was
24 unlikely to be the product that we thought we were
25 amplifying.

WARD - DIRECT

1 Q Was Uhlmann blinded?

2 A No. To my knowledge, there was no blinding
3 in the Uhlmann study.

4 Q Now, is it accurate to say that PCR can be
5 used both as a research tool and also as a diagnostic
6 tool?

7 A Yes. Almost all assays start out as
8 research tools, and once they are optimized and
9 carefully controlled and you have a great deal of
10 experience with them, they can be successfully
11 translated into a diagnostic tool. And several
12 companies have done this quite well for HIV,
13 chlamydia, gonorrhoea, and so on. These are standard
14 PCR-based technologies.

15 Q What precautions must be taken if you are
16 using it as a diagnostic tool?

17 A Well, you have to be absolutely certain that
18 you are getting what you think you've got. You have
19 to have enough experience with it to be one hundred
20 percent certain that when your machine, when you press
21 the button and you have followed all of your standard
22 operating procedures, that when you press the button,
23 the data that will come out will in fact be true and
24 valid as a diagnostic test.

25 Q Now, would you describe the Unigenetics

WARD - DIRECT

1 tests that you saw for Michelle Cedillo and that have
2 been discussed as a research tool or a diagnostic
3 tool?

4 A Well, I would have characterized it
5 primarily as a research tool, but in the case of
6 Michelle Cedillo, it's clearly being used as a
7 diagnostic tool.

8 Q Now are you aware of published papers
9 providing molecular information about a link between
10 MMR and autism?

11 A Well, as far as I'm aware, there is only the
12 Uhlmann paper, a very similar paper with identical
13 authors in reverse order in the same journal, lead
14 author Martin. It's unclear if that is the same
15 group, the same data, the same study, as a duplicate
16 publication. I don't know. There's much less detail
17 in the Martin paper. And a small study by Kawashima
18 where they used a slightly different PCR technique in
19 a small number of children with autistic spectrum
20 disorder.

21 Q This is another area I don't want to
22 duplicate too much with Professor Bustin, but are you
23 aware of concerns that were raised about the Uhlmann
24 paper in particular?

25 A Well, yes. I think there have been a number

WARD - DIRECT

1 of concerns raised about the Uhlmann paper, and
2 specifically, when Dr. Afzal tried to identify measles
3 genomic sequences in peripheral blood mononuclear
4 cells of children with autistic spectrum disorder, he
5 was unable to find them. And our own studies, where
6 we tried to replicate aspects of the Uhlmann and the
7 Kawashima assays, we were unable to replicate their
8 findings.

9 Q So let me be clear. Your own lab designed
10 in an effort to replicate Uhlmann's work?

11 A Well, we tried to get data that would be
12 informative to the question of whether measles virus
13 can persist in the tissues of autistic spectrum
14 disorder children.

15 Q Now, what are PBMCs and why did you target
16 them?

17 A Well, our study, like that of Dr. Afzal's,
18 targeted peripheral blood mononuclear cells. PBMCs
19 are what's left over when you take out the red blood
20 cells, platelets, and the neutrophils from the
21 peripheral blood. They are composed primarily of
22 macrophages, dendritic cells and lymphocytes. All of
23 these cells are susceptible to in vitro and, we
24 presume, in vivo infection with measles virus and
25 measles vaccine strain virus.

WARD - DIRECT

1 Q Okay. So to be clear, you had reasons to
2 think that finding measles virus in the gut was
3 similar to finding measles virus in blood?

4 A Well, Kawashima actually reported that he
5 found -- Kawashima targeted peripheral blood
6 mononuclear cells in his study, and he reported
7 finding measles virus genomic material in 30 percent,
8 so three out of the nine samples he studied. So one
9 of the two studies where this has been reported
10 specifically used PBMCs.

11 In addition, Dr. Bradstreet, in his article
12 that we just discussed a moment ago, reported that
13 peripheral blood mononuclear cells were targeted and
14 in at least one out of the three children, measles
15 virus genomic information was identified, so again,
16 that would be 33 percent, and in Dr. Kinsbourne's
17 testimony, he said very clearly that if measles virus
18 is replicating in the gut and it's in the brain, it
19 moves between those two places in the blood.

20 There is every reason to expect that if a
21 measles virus is replicating in the gut, and there is
22 inflammation -- inflammation means white blood cells.
23 It means immune cells. So if there's measles virus
24 replicating in the gut and the immune cells are coming
25 in to deal with the inflammation, there is really no -

WARD - DIRECT

1 - and those immune cells are fully susceptible to
2 infection with measles, there's no way to hypothesize
3 that the virus doesn't get from the inflamed and
4 infected gut cells into the immune cells.

5 Those immune cells, by their very nature, do
6 not stay put. That's not their job. Their job is to
7 move and alert other tissues in the body, and so the
8 macrophages and some of the other cells would be
9 expected to move to other parts of the body, therefore
10 carrying the replicating measles virus in their
11 cytoplasm, making the peripheral blood mononuclear
12 cells a very adequate tissue to test this hypothesis.

13 Q And what were the results of your study?

14 A Well, they were interesting in several
15 respects. And I won't go through in any detail, but
16 the most interesting aspect I think is that when we
17 looked at control versus autistic spectrum disorder
18 children, and their PBMCs, and we followed rigorous
19 standard operating procedures for the evaluation of
20 the positive results that we got, we found that the
21 Uhlmann primers, and this was across the board, the H,
22 F and N primers gave us a lot of nonspecific
23 amplification.

24 They gave a signal in almost all of the
25 cases when we looked at the melting curve, both in the

WARD - DIRECT

1 controls and the ASD children. However, many of these
2 positives fell out when we looked at melting curve, so
3 they were not amplifying the appropriate sequences.
4 When we looked at melting curve, many of them fell
5 out, but not all of them. So we had signal in the
6 machine that yielded a good melting curve. When we
7 took it further and took those DNA fragments and ran
8 them out on a gel, we found that in fact, many of
9 these actually proved to be negative.

10 So on the control side, we went down this
11 far and we found none of the amplicons were of the
12 correct size, suggesting that these results had been
13 false positives, but on the autistic spectrum disorder
14 side, in these PBMCs, we actually were left at this
15 point with 3 out of 42 of the children having a signal
16 that appeared to be good signal in real-time PCR, the
17 correct melting curve, the correct size that we were
18 anticipating by the gel.

19 And if we had stopped at this point and not
20 completed our standard operating procedure of
21 analyses, we would have reached conclusions that were
22 very similar to those of Kawashima. We would have
23 said 3 out of 42 individuals with ASD have circulating
24 measles virus in their blood, compared to zero out of
25 17 in the controls.

WARD - DIRECT

1 Q Now can you briefly explain the difference
2 between probe versus dye-based PCR? We're at slide
3 17.

4 A Right. We did not use an identical PCR
5 strategy to either Kawashima or Uhlmann. In the case
6 of Uhlmann, we didn't use gut biopsy tissue. In the
7 case of Kawashima, their lab uses nested PCR; we used
8 a modified real-time PCR. In the Uhlmann case, they
9 used a probe-based PCR assay, and we used a PCR assay
10 that has a different detection system.

11 So very briefly, and you will have seen this
12 described by Dr. Hepner, but the difference is that in
13 the Uhlmann assay, the primer runs along and makes a
14 new copy, and in the running along, it hits a primer
15 that is -- it hits a probe that is fluorescently
16 labeled, and as the primer hits the probe, it destroys
17 the probe, resulting in the release of the fluorescent
18 molecule that tells you that the DNA is being
19 amplified.

20 If used properly, probe-based PCR assays can
21 be very, very specific, very powerful. We used a
22 slightly different PCR technology where we simply put
23 in a dye that binds to double-stranded DNA. So the
24 more double-stranded DNA we had, the more dye that
25 fluoresced, because this dye is not shining when it is

1853A

WARD - DIRECT

1 not bound to double-stranded DNA, and it shines when
2 it sticks itself in or intercalates into the DNA.

3 We used the same primers, and we used
4 essentially the same machine. We used slightly
5 different technologies for detecting the end products.

6 Q Now, getting back to when you were working
7 through and you got to a point where your results
8 appeared on their face to be somewhat similar to what
9 Kawashima found, did you then sequence?

10 A Yes. The standard operating procedure that
11 we had in place prior to starting these studies was
12 that any positive results that made it through our
13 analysis would actually, would then be cloned and
14 sequenced, because cloning and sequencing is the gold
15 standard. That is the absolute guarantee that you
16 know you are amplifying the correct piece of DNA.

17 And when we did that, what we discovered was
18 that all of the gene products with the Uhlmann F, N or
19 H primers that we used, that had correct melting curve
20 and correct amplicon size, when we actually went
21 through the fairly laborious process of cloning and
22 sequencing them, were actually human genes. In no
23 case did we find measles virus genomic material.

24 Q Slide 19, please?

25 A Obviously, as a logical question to ask, is

WARD - DIRECT

1 if -- and I assume that the Uhlmann primers were
2 designed in good faith. If one is using a set of
3 primer pairs that you have designed in good faith that
4 target the measles virus genome, why would you get
5 nonspecific results? Well, we now had the human genes
6 amplified, and so we had the sequences. And we were
7 able to ask a very simple question: Do the Uhlmann
8 primers, would you predict that the Uhlmann primers
9 might actually stick to this human genetic
10 information?

11 And when we did that, we found with varying
12 degrees of homology that in fact, for example, the F-1
13 primers that we used, that there was 65 percent
14 homology if you look at the whole primer, but actually
15 85 percent homology if you looked at the business end
16 of the primer, because this is where the DNA is going
17 to be amplified from, so it's critical that this part
18 of the primer stick to the underlying DNA sequence.

19 So we had 80 to 85 percent homology between
20 these primers that were supposed to be targeting
21 measles, but were in fact also not bad as primers for
22 this particular human gene. This is at least one
23 possible explanation for why we were getting those
24 blurry bands and why we were getting the incorrect
25 melt curves, because we believe that several of the

WARD - DIRECT

1 Uhlmann primers are in fact yielding nonspecific
2 results.

3 SPECIAL MASTER HASTINGS: Can you explain
4 what homology means?

5 THE WITNESS: Oh, I'm sorry. Homology means
6 identity. So that you really want these primers to be
7 identical to the sequence that you are targeting. So
8 a perfectly homologous primer would have the same
9 nucleotides at each one of these positions. So here
10 you have a T and a T. So here's the genomic sequence
11 you are targeting, here is the primer sequence. So
12 you have TT, that's a perfect match.

13 Again here, you have AA, AA. But these are
14 nonhomologous. You have an A versus a G, then a G
15 versus an A. In theory, you want your primers to be
16 perfectly homologous to a unique sequence of DNA found
17 only, for example, in this case, in measles virus.

18 In the case of the Uhlmann primers, they
19 were close in their degree of homology to several
20 human genes, which would mean that if you didn't
21 rigorously optimize your assay conditions, you could
22 get the primers binding to non-measles genes, and the
23 amplification of non-measles sequences, which is what
24 we've demonstrated by sequencing the DNA bits that
25 were amplified.

1856A

WARD - DIRECT

1 BY MS. BABCOCK:

2 Q So to summarize, if I'm understanding this
3 correctly, when you sequenced, you discovered that
4 what was amplifying was not measles virus?

5 A That's correct.

6 Q Okay.

7 A We were getting signal that looked good.
8 The real-time PCR machine said, you've got measles
9 virus here. The melt curve analysis said, you've got
10 measles virus here. The band size said the same
11 thing, but all of those are not the gold standard,
12 because you can have exactly what we found. You can
13 have things that look like they are correct, but when
14 you apply the gold standard sequencing, they are
15 erroneously amplified bits of human DNA.

16 Q And let me be clear. Gold standard means?

17 A The best.

18 Q In that, you're referring to sequencing?

19 A Sequencing. When you are developing a PCR
20 test, you have to sequence.

21 Q How long did it take your lab to generate
22 the sequencing information?

23 A A year and a half and a lot of tears on the
24 part of my graduate student who did this work.

25 Q To your knowledge --

1856B

WARD - DIRECT

1 A It's very frustrating to try to blunt-end
2 clone PCR

1857A

WARD - DIRECT

1 products.

2 Q To your knowledge, has Uhlmann ever
3 published sequencing from his 2002 paper?

4 A To my knowledge, he has not.

5 Q And you, to be clear, you published the
6 results of the study you just described?

7 A Yes, these results were published in
8 Pediatrics at the end of 2006.

9 Q Now, Dr. Hepner discussed in her report and
10 in her testimony that comparing PCR results from gut
11 tissue to PCR results from PBMCs is like comparing
12 apples to oranges. And that's slide 20.

13 A And -- well, the DNA material in the body is
14 the same whether you're a liver cell or a brain cell.
15 Primers that are designed to target DNA based on liver
16 DNA will amplify brain DNA. There really is no
17 difference between the genetic information that's
18 available to a PCR machine, depending upon the tissue
19 you're looking at.

20 Since our assays, like the Uhlmann assays,
21 target RNA, which is the message form in the human
22 body, and in this case also the viral RNA, there are
23 tissue differences, there can be tissue differences
24 between the expression of host-RNA. So the brain does
25 not produce all the same proteins that the gut does,

1858A

WARD - DIRECT

1 and to produce those proteins, they have to make their
2 own restricted set of RNA, because you need to make
3 the RNA to make the protein.

4 So it is possible that you could generate a
5 set of primer pairs that is targeting RNA that would
6 work in one tissue but would not work in another
7 tissue. That makes sense. However, if a primer pair
8 gives nonspecific results in any tissue, then it is
9 suspect in all tissues.

10 Q Now, setting that aside, good rationale, but
11 why didn't you just compare apples to apples, or at
12 least according to Dr. Hepner?

13 A Well, because I would not have requested of
14 my ethics committee and they would not have granted
15 permission to perform endoscopic biopsies on most of
16 our children with autistic spectrum disorder. They
17 would consider them to be medically unnecessary
18 procedures, a fact that Dr. Krigsman himself
19 confronted in his New York practice.

20 Q Nevertheless, have you also used these
21 primers --

22 A By the way, that was the same rationale for
23 Dr. Afzal not targeting the gut materials of ASD
24 children. His ethics committee also would not permit
25 him to do what were -- he would not ask and he would

1859A

WARD - DIRECT

1 not have received permission to do that study.

2 Q I'll re-ask the question now.

3 A Sorry.

4 Q Nevertheless, have you also been able to use
5 these primers on gut tissue?

6 A Yes, in fact, the original intent, Yasmin
7 D'Souza's original master's thesis project was to test
8 biopsies from children with inflammatory bowel disease
9 and compare them to controls. And we did the ASD
10 study first because we got access to those specimens
11 first through Dr. Fombonne's funded study. We got
12 permission to use those samples first. It took us
13 longer to collect the biopsies from children who were
14 undergoing diagnostic endoscopies for inflammatory
15 bowel disease or conditions that proved not to be
16 inflammatory bowel disease.

17 Q So to be clear, these children had
18 preexisting gastroenterological issues?

19 A Yes. Their endoscopy was considered to be
20 medically required.

21 Q Now what primer pairs were used?

22 A Well, the study was essentially a carbon
23 copy of what we did with the PBMC in the autistic
24 spectrum disorder study, where we took the control
25 biopsies, which were children who had, essentially, a

1860A

WARD - DIRECT

1 diagnosis of inflammatory bowel disease ruled out by
2 their diagnostic endoscopy, and biopsies from children
3 who had their IBD diagnosis ruled in by the biopsy,
4 and we isolated the RNA from the biopsy tissues,
5 essentially, identically to the way that it was
6 isolated by the Uhlmann and Kawashima investigators,
7 and used the same primers.

8 We used the Uhlmann F, H and N primers, or
9 some of the Uhlmann F, H and N primers, the Kawashima
10 primers, and our own in-house F-gene assay to try to
11 identify measles virus genomic material in the guts of
12 children with IBD.

13 Q And did you encounter any issues?

14 A The data were very similar to what we
15 observed in the PBMC study of ASD children. We found
16 a lot of positive results in the machine. We found
17 some that had the appropriate melt curve analysis, we
18 had some that had the correct size on gel
19 electrophoresis, but again, when we blunt-end cloned
20 and expressed those sequences, we found them to be
21 human genetic material, not viral genetic material.

22 Q So you did sequence this?

23 A We sequenced it as well, and again found, in
24 some cases, similar human genes, and in other cases
25 dissimilar human genes to what we found in autism.

WARD - DIRECT

1 Q And were the results published?

2 A The results were published a week ago in
3 Gut. So they were just released in electronic form at
4 the beginning of June.

5 Q And who funded this research?

6 A The technical aspects of the work were
7 funded by the Crohn's & Colitis Foundation of Canada,
8 a very small grant but very welcome nonetheless, and
9 the collection of the ASD specimens and control
10 specimens was funded by a grant to Dr. Fombonne from
11 the Quebec government funding agency. It's called the
12 FRSQ.

13 Q Now, Dr. Krigsman and Dr. Hepner discussed,
14 both discussed an abstract by Walker and we were
15 provided with additional information from that poster
16 last week. Have you reviewed those items?

17 A Yes, I have.

18 Q Does what you reviewed allow you to have
19 confidence in what they were presenting?

20 A Well, I think it should be obvious to the
21 Court that Dr. Hepner herself characterized this study
22 as preliminary, uncontrolled and unblinded, and so if
23 one gives Dr. Hepner the benefit of the doubt, I think
24 that the most that can be said about these
25 observations is that they are potentially interesting,

WARD - DIRECT

1 but they would have to be subjected to much more
2 rigorous scrutiny before they were accepted.

3 Q Now how does the scientific community view
4 abstracts?

5 A As interesting ideas by and large.

6 Q Now, on that topic, have you ever made a
7 presentation or prepared an abstract concerning
8 testing on gut tissue?

9 A A case in point, the project for
10 identification of measles virus genomic material in
11 gut biopsies of children was started almost two years
12 before Yasmin D'Souza came to my lab as a master's
13 student. It was started by a summer student, a
14 medical summer student, where we got a small number of
15 gut biopsies from adult and pediatric
16 gastroenterologists at McGill, and we designed a set
17 of primers that were not the Uhlmann primers because
18 they were not yet available in the literature.

19 What we tried to do was we took all of the
20 sequences of wild-type measles, vaccine strain
21 measles, and a bunch of closely related viruses, in
22 the same family of viruses, and we said, maybe these
23 results are not due to the amplification of measles,
24 but they are due to the result of the amplification of
25 a closely related but as yet undiscovered virus. And

WARD - DIRECT

1 it was the perfect study to do with a medical student
2 in the summer, because it was potentially really cool
3 that we could identify a brand new virus, and it was
4 something that could be accomplished in a period of a
5 summer.

6 And so we developed a set of what we called
7 degenerate primers, which had -- we selected regions
8 of these different genes, sorry, these different
9 viruses, that were as close to as identical as
10 possible, so that in those areas, each one of these
11 viruses had very close homology. Not perfect, but
12 they were very similar, so that we would have a
13 reasonable hope that if we designed a primer that was
14 close enough to all of these different viruses, we
15 might amplify each one of these viruses, including
16 measles, but also, an as-yet unknown virus that was
17 closely related.

18 So our hypothesis was that the gut tissues
19 of these children might contain either measles virus
20 or a closely related virus that was either known or
21 unknown. These types of primers are called degenerate
22 primers, and they can amplify -- they are intended to
23 be not perfectly specific. And so the medical student
24 set it out to do this work, and generated some
25 interesting results where we believed we had some

1864A

WARD - DIRECT

1 amplification.

2 We were using classic PCR at that time, not
3 real-time PCR, and we took some of them to sequence.
4 And if I remember correctly, one out of the two had
5 sequence that was related to measles, and the other
6 one that we succeeded in cloning had sequences that
7 didn't, that looked like a paramixovirus, but it did
8 not look like any of the viruses that we had
9 identified that were known. And so we were actually
10 pretty excited that this was interesting new biology.

11 Right around that time, I was contacted by
12 the American Academy of Pediatrics because they knew
13 that we were thinking about working on this, and they
14 said, do you have any data that might inform this
15 debate? I was invited to present this data, which was
16 presented as, just as what it was. It was a limited,
17 preliminary study, and performed by a medical student,
18 and here was our preliminary data.

19 And in a subsequent medical student summer
20 job the following year, we demonstrated to our
21 satisfaction that what the first medical student had
22 generated was probably not true, for a variety of
23 technical reasons, contamination, and inadequate
24 procedures by a relatively inexperienced operator.
25 And so that data was presented as an abstract at the

WARD - DIRECT

1 American Academy of Pediatrics in preliminary form,
2 and has never been published because, in fact, it was
3 wrong, as often happens with abstracts.

4 Q Now, did you later discover that the
5 abstract you presented had been used by others in the
6 scientific community?

7 A Well, yes. I was a little bit surprised at
8 the Institute of Medicine meeting when I was on the
9 review panel when Dr. Wakefield actually presented my
10 data with his own interpretation, and actually had the
11 courtesy of thanking me for doing this very important
12 work, even though he knew that it hadn't been
13 published, was preliminary, and I took pains to
14 explain to him after that presentation that the work
15 hadn't been replicated and therefore shouldn't be used
16 in the fashion that he was using it.

17 Q Now, overall, based on your professional
18 experience, review of the medical records, literature,
19 reports, listening to the testimony, do you place any
20 reliance on the Unigenetics laboratory report for
21 Michelle Cedillo?

22 A I do not.

23 Q Do you think there is any evidence to show
24 that the MMR vaccine more probably than not caused
25 Michelle Cedillo's autism?

1866A

WARD - DIRECT

1 A I do not.

2 Q Do you think that the MMR part of this
3 hypothesis is biologically plausible?

4 A At this point, I do not. At some point in
5 the life of any hypothesis you -- well, in the absence
6 of data, you can hypothesis just about anything.

7 So at the initiation of a hypothesis, many
8 things are possible, and all hypotheses have a life.
9 Some hypotheses go on to be proved based on data
10 generated. Some hypotheses go on to be disproved,
11 based on the data generated; and other hypotheses can
12 never be proved or disproved. Because for example,
13 they are not scientifically empirically testable.

14 In this case, I think that the MMR ASD link
15 to the Petitioners' hypothesis has been disproved.
16 Therefore, I don't think there's any -- I think at
17 this point, I would say that it is not plausible,
18 biologically plausible.

19 MS. BABCOCK: I don't have any further
20 questions.

21 SPECIAL MASTER HASTINGS: Alright.

22 MS. CHIN-CAPLAN: Could we just take a quick
23 break?

24 SPECIAL MASTER HASTINGS: Why don't we take
25 our morning break at this point. We'll meet back at

1866B

WARD - DIRECT

1 11:00, 15 minutes from now.

2 (Whereupon, a short recess was taken.)

WARD - DIRECT

1 SPECIAL MASTER HASTINGS: All right, we're
2 back from our morning break, and Ms. Chin-Caplan,
3 you'll be doing the cross examination.

4 MS. CHIN-CAPLAN: Yes, Special Master.

5 SPECIAL MASTER HASTINGS: Do you want to do
6 the pin microphone? That might be a good idea.

7 MS. CHIN-CAPLAN: Is this on battery,
8 because it doesn't seem to be turning on?

9 SPECIAL MASTER HASTINGS: It doesn't seem to
10 be working?

11 MS. CHIN-CAPLAN: No.

12 SPECIAL MASTER HASTINGS: Okay.

13 MS. CHIN-CAPLAN: I'm thinking that if we
14 left it on, the battery would be dead. But I don't
15 know if it's battery or not.

16 SPECIAL MASTER HASTINGS: Okay.

17 THE WITNESS: There's one here. Do you want
18 to use that one?

19 SPECIAL MASTER HASTINGS: Is that one
20 working?

21 MS. CHIN-CAPLAN: No, I think it's the same
22 situation. I think we left them on.

23 SPECIAL MASTER HASTINGS: All right, to
24 those listening in, we're having a bit of a technical
25 glitch here, which we hope to be done with in a

WARD - CROSS

1 moment. All right, go ahead, Ms. Chin-Caplan.

2 MS. CHIN-CAPLAN: Thank you, Special Master;
3 if I wonder over to you, remind me to take this with
4 me.

5 CROSS-EXAMINATION

6 BY MS. CHIN-CAPLAN:

7 Q Doctor, you indicated that you can't compare
8 wild measles virus to the vaccine strain. Is that
9 true?

10 A No, I think some comparisons are
11 appropriate. But when talking about one or the other,
12 one needs to be specific.

13 Q Okay, but there are certain similarities
14 between the wild strain and the measles strain, isn't
15 there?

16 A Of course.

17 Q And one of those similarities is its effect
18 on the immune system?

19 A There are aspects that are the same, yes.

20 Q And you, in fact, have written an article
21 about the similarities between wild virus measles and
22 vaccine strain, correct?

23 A Absolutely, yes.

24 Q And you've written articles on its effect on
25 the immune system, correct?

1869A

WARD - CROSS

1 A I've written articles on its effects on the
2 immune system, yes, because there are phenotypic
3 changes that are measurable in the cells, isolated
4 from somebody with vaccination, and in the function of
5 some of the immune cells taken out of the body of a
6 child following vaccination.

7 Q So Doctor, on page five of your
8 presentation, which is Respondent's Trial Exhibit
9 Number 12, you're talking about immune system balance
10 there?

11 A Yes.

12 Q And you've indicated that many populations
13 are relatively TH2 biased; and the final sentence was
14 that there's no evidence that measles vaccination
15 causes TH2 deviation?

16 A What I said was, clinically relevant TH2
17 deviation.

18 Q Okay.

19 A I also said that in vitro, you can see
20 changes and you can see changes in immune cell
21 function that suggest a TH2 deviation. But the key
22 there is distinguishing between what you can
23 demonstrate in a test tube and what you can
24 demonstrate in real life.

25 Q So Doctor, in Petitioner's Exhibit 79 --

WARD - CROSS

1 would you like to give him 79?

2 MR. MATANOSKI: Your Exhibit 79?

3 MS. CHIN-CAPLAN: Yes, Petitioner's Exhibit
4 79.

5 MR. MATANOSKI: I don't know that we have
6 your Exhibit 79.

7 MS. CHIN-CAPLAN: Oh, well, then let me give
8 it to you.

9 BY MS. CHIN-CAPLAN:

10 Q Doctor, this is an article that's entitled,
11 "Changes in Cytokine Production After Measles Virus
12 Vaccination; Predominate Production of IL4 Suggests
13 Induction of TH2 Response." Am I correct?

14 A Yes, you've read it correctly.

15 Q And this was published in 1993, correct?

16 A Yes, a long time ago.

17 Q Yes, it's good medicine still, isn't it?

18 A Excuse me?

19 Q It's still good medicine. Then you
20 published it with Dr. Griffin, correct?

21 A That's correct.

22 Q And you indicated that you were a post-doc
23 fellow; was that it?

24 A Yes, that's right.

25 Q In her laboratory?

WARD - CROSS

1 A Yes.

2 Q Now you indicated that this would be an in
3 vitro response, is that it, in the test tube?

4 A Well, these were PMBCs isolated from
5 children. So yes, it's an in vitro phenomenon.

6 Q Okay, and Doctor, if you look in the
7 abstract on page one, the very last sentence, "These
8 data suggest that TH2 cells producing IL4 are
9 preferentially activated by measles vaccine, and may
10 contribute to the immunologic abnormalities associated
11 with immunization for measles and possibly other viral
12 infections." Have I read that correctly?

13 A You have.

14 Q And you agreed with that statement when you
15 wrote it, correct?

16 A Yes.

17 Q Do you agree with that statement today?

18 A It has a conditional word in it.

19 Q Okay, "may"?

20 A Correct.

21 Q Now Doctor, if you go to the discussion,
22 which is contained on page 174 -- are you there? It
23 states, "Alteration of immune cell function can be
24 detected in most individuals after vaccination or re-
25 vaccination with live attenuated measles virus."

WARD - CROSS

1 That's what we're dealing with here; a live attenuated
2 measles virus?

3 A The question at hand is yes, regarding live
4 attenuated measles virus vaccine. That's the only
5 vaccine available.

6 Q Correct, at least in this part of the United
7 States and perhaps Canada?

8 A In the world.

9 Q In the world -- and then you say, "In this
10 study, we have confirmed the frequency of the defect
11 in PHA-induced lymphoproliferation." What is PHA?

12 A PHA is a mitogen derived from a bean that by
13 chance was discovered to cause a large proportion of T
14 cells to proliferate, to divide, in response to that
15 stimulation.

16 Q Is that an indication of some sort of immune
17 function?

18 A Yes, mitogen responsiveness is a functional
19 immune test.

20 Q Okay, a functional immune test of anything,
21 or a particular arm of the immune system?

22 A It's a functional test of cellular immunity.

23 Q Then continuing on with that sentence, "and
24 have shown that this defect is not associated with
25 altered proportions of CD4 and CD8 T cells, but is

WARD - CROSS

1 associated with alterations in cytokine synthesis."

2 Have I read that correctly?

3 A Yes.

4 Q So Doctor, if we go to back to your slides,
5 did you have a slide on total numbers, of CD4s and
6 CD8?

7 A It's the first slide.

8 Q The first slide. Thank you. So, Doctor, if
9 we go back to the first slide, which is page 3, is
10 that it?

11 A Yes.

12 Q So Doctor, would it be fair to say that they
13 indicate there is a known total amount of CD4s and
14 CD8s from the sentence that I've just read to you?

15 A Would you please repeat the question? I'm
16 not sure what I'm being asked.

17 Q Certainly. With reference to this sentence,
18 "In this study, we have confirmed the frequency of the
19 defect in PHA-induced lymphoproliferation, and have
20 shown that this defect is not associated with altered
21 proportions of CD4 and CD8 T cells, but is associated
22 with alternations in cytokine synthesis."

23 So when we look your page three, they're
24 talking about proportions between the number of CD4s
25 and CD8s, correct -- a ratio?

1874A

WARD - CROSS

1 A Right, we were, in the paper, we were
2 specifically referencing prior work that had purported
3 to show a change in CD4/CD8 ratio after natural
4 disease, and I believe there was one paper with
5 vaccination. I'd have to look back and find that out.
6 We did not find that similar change in proportion.

7 Q You found something different, correct?

8 A We found that that didn't occur.

9 Q Right, but you also found that there was an
10 alteration in cytokine synthesis?

11 A Absolutely.

12 Q Yes, and when we talk about cytokine
13 synthesis, these CD4s and CD8s actually produce
14 cytokines. Is that true?

15 A Yes, they do.

16 Q Right, and what you found is that the ratio
17 between CD4s, the actual cell wasn't altered. But
18 what they were told to produce, the cytokines, were
19 altered. Am I right?

20 A I'm not even sure what you're asking. But
21 yes, we found changes in the total production of some
22 cytokines in the peripheral blood mononuclear cells.
23 But, in fact, some of those cytokines are not produced
24 only by a single cell. So extrapolating to which
25 cells produced which cytokines is inappropriate.

1875A

WARD - CROSS

1 Q And we haven't done that here, right?

2 A Well, you appear to be doing that.

3 Q Oh, but we haven't done it, right?

4 A I guess once warned, you won't.

5 Q That's right.

6 A The techniques weren't available at the time
7 to do single cell cytokine staining. If we were to
8 replicate that study today, we would have used a flow
9 cytometer to identify which cells were producing the
10 cytokines.

11 Q So the general statement that the cytokine
12 synthesis was altered in this paper in 1992 was true
13 then, correct?

14 A I'm sure it's still true today.

15 Q Okay, and now medicine has progressed to the
16 point that you might even be able to determine which
17 cytokines are affected. Is that it?

18 A Since 1993, which I think is when this was
19 published, we have almost trebled the number of
20 cytokines known. So yes, were we to do this study
21 today, we would be able to generate more information.

22 Q Thank you, and Doctor, when you continue on
23 in that paragraph, it says, "Production of IL4 was
24 also increased after in vitro stimulation of vaccinee
25 PBMC with PHA, suggesting that measles immunization

1876A

WARD - CROSS

1 had primed T cells in vivo for production of IL4."

2 Now is IL4 a pro-inflammatory cytokine?

3 A No.

4 Q Is it an anti-inflammatory cytokine?

5 A I don't think many people would consider it
6 to be an anti-inflammatory cytokine. It does have
7 effects on regulating the antibody-type responses; but
8 also allergy and asthma as well.

9 So depending on how much of IL4 you produce,
10 where you produce it, and in what context, it can lead
11 to very inflammatory effects: allergy, asthma, those
12 kinds of things. In other circumstances, it may act
13 to decrease some cellular responses.

14 Q What cellular responses would it decrease?

15 A That's an impossibly -- the answer to that
16 simple question is impossibly complex. The overall
17 theory, the general theory, is that IL4 and now IL13,
18 which was not even known at that time, counter-balance
19 gamma interferon as sort of key regulatory cytokines
20 in an immune balance that plays out between TH1, which
21 is often simplistically viewed as cellular immunity,
22 and TH2, which is simplistically reviewed as antibody
23 responses.

24 One can demonstrate in contrived, but very
25 important, inbred animal models, that if you don't

1877A

WARD - CROSS

1 have any IL4 -- or even better, if you don't have any
2 IL4 receptor, which is what controls both IL4 and IL13
3 signaling, for example, animals missing that receptor
4 cannot be made to have asthma or allergic-type
5 responses.

6 So that if you take out that receptor
7 pathway, you completely eliminate the possibility of a
8 mouse that might otherwise be inclined to make an
9 allergic response to make that response. On the other
10 hand -- so that would be a good thing.

11 On the other hand, if you do that, if you
12 challenge that same animal with an organism, for
13 example, that absolutely requires a strong antibody
14 response to elicit good protection. Those animals
15 might get sicker if they don't have that pathway.

16 So at the level of the inbred animal
17 species, one can demonstrate that knocking out one of
18 these pathways, either the IL4 receptor pathway or the
19 gamma interferon pathway, can tip a balance in your
20 ability to respond to certain organisms in a way that
21 can harm the animal in the event that they are
22 challenged by a specific type of organism that
23 requires a specific type of response.

24 Q So Doctor, when you have that imbalance, as
25 you call it, and in your paper, you indicated it was

1878A

WARD - CROSS

1 an induction of a TH2 response, what you're indicating
2 is that the TH2 level is higher than the TH1 level?

3 Am I correct?

4 A No, I was indicating that there was more
5 TH2.

6 Q Okay, so it's out of balance, correct?

7 A Actually, probably for the response to
8 measles, that's the perfect balance.

9 Q So it's out of balance. You've got a little
10 more IL4, less interferon gamma, and isn't interferon
11 gamma the TH1 response that is necessary to clear
12 infections?

13 A Well, even though I said that it might be
14 the perfect response, you immediately return to
15 imbalanced. In fact, what the body wants and needs to
16 do is to make an appropriate response; and in some
17 cases, the appropriate response is, in fact, a TH2
18 deviated response. That is the best response for that
19 particular organism.

20 So demonstrating a difference does not
21 necessarily demonstrate that it is a mal-adaptive
22 difference. It simply demonstrates a difference.

23 Q Yes, you're right; and Doctor, with that
24 imbalance, a skewing towards TH2, doesn't that also
25 correspond with the period of maximum viremia that you

1879A

WARD - CROSS

1 would see in a measles vaccine?

2 A The data that we have available shows that
3 you initially actually produce a TH1/2 combined
4 response which, as you clear the viremias, switches to
5 a TH2 deviated response. But the initial response is,
6 in fact, not TH2 predominant. It is a mixed response,
7 and that's probably a very good adaptive response.

8 Q Doctor, isn't it true that the period of
9 maximum viremia is approximately seven to fourteen
10 days after an immunization?

11 A Well, there are not a large number of
12 studies that have measured viremia after vaccination.
13 But that would be within the timeframe when you might
14 expect to isolate virus from the blood. So starting
15 about six or seven days after, it would be unusual to
16 isolate the virus after two or three weeks.

17 Q So the biological, the molecular aspect of
18 this corresponds to what's known about viremia, and if
19 we go on further, it probably corresponds with the
20 clinical course, correct?

21 A (No response.)

22 Q Let's take this one step at a time, okay?
23 The initial response is TH1/TH2, which is what you
24 expect to see. That's the normal response.

25 A Correct.

1879B

WARD - CROSS

- 1 Q MS. CHIN-CAPLAN: And that also --
- 2 A But I'm not sure that we had an a

WARD - CROSS

1 priori expectation. That's what we observed.

2 Q Right, right. And that's still true today,
3 right?

4 A Well, I don't think it's been replicated in
5 any great detail.

6 Q But it's still true. Nobody has disproven
7 it, correct?

8 A Correct.

9 Q Okay, so it's TH1/TH2 initially, and then
10 after a period of time, a skewing toward TH2, correct?

11 A That's correct.

12 Q Okay, and the timeframe in which there is
13 the skewing of TH2, would that correspond with the
14 period of maximum viremia after a measles vaccine?

15 A Yes, roughly.

16 Q Okay, and since it corresponds roughly to
17 that, that's also the period that immuno suppression
18 begins, isn't it?

19 A You're mixing wild-type virus and vaccine.
20 There's no evidence of immuno suppression after
21 vaccine, none.

22 Q Well, doesn't this article indicate that
23 this can happen?

24 A It indicates that there are in vitro
25 differences in the PBMC from before vaccination to

WARD - CROSS

1 after vaccination. It doesn't say anything about
2 immuno suppression.

3 Q Okay, but if you go further into this
4 article, Doctor, on page 174, it says, "This
5 observation may provide insight into the abnormalities
6 of T cell function induced by measles virus vaccine
7 and possible other live virus vaccines.

8 A Right.

9 Q So isn't this true, that from this article,
10 your belief is that you can extrapolate from this in
11 vitro experimentation to the normal, to a human?

12 A These were human cells.

13 Q Yes.

14 A Yes.

15 Q But going from a test tube into real life;
16 you're thinking that you would be able to extrapolate
17 this information and use it in a real life situation.
18 Shall I read it again?

19 A No, I don't understand what you mean by "use
20 it in a real life situation." It's an observation.

21 Q Okay.

22 A And what had been observed before this was
23 that cells -- science has to be taken in context.
24 What we knew prior to this article being published was
25 that when you took peripheral blood mononuclear cells

1882A

WARD - CROSS

1 out of a child with natural measles, and we
2 demonstrated with after a measles vaccination as well,
3 that there were a number of immunologic parameters
4 that were different.

5 That doesn't say anything about a child
6 after vaccination being immuno suppressed. It's an in
7 vitro observation that we went then further to look at
8 the cytokine patterns produced by these peripheral
9 blood mononuclear populations, and we could then
10 explain, we thought, some of the in vitro observations
11 with the demonstration that these cells had different
12 cytokine production patterns.

13 This was not driven by a clinical need to
14 define how children, after vaccination, were
15 immunocompromised, because they were not/are not, that
16 we know of, immunocompromised.

17 So extrapolating to real life, if you mean
18 this observation can be extrapolated to a immuno
19 suppressive state in the child, this article says
20 nothing about that.

21 Q This article doesn't. But haven't there
22 been earlier articles that looked at measles vaccine
23 and its effect on immune response?

24 A I expect -- well, yes, if you have measles
25 vaccine, you can demonstrate again subtle changes in

1882B

WARD - CROSS

1 responses to

1883A

WARD - CROSS

1 specific antigens. There's a clinical prohibition to
2 using other vaccines within the month after taking the
3 measles vaccine, because the immune response to those
4 other vaccines might be reduced -- might. But that's
5 also true for other viral infections -- I'm sorry,
6 other live viral vaccines.

7 Q Wasn't there an earlier article dating from
8 maybe the 1960s or the 1970s by Firestone, which
9 discovered that when you administer a measles vaccine,
10 a person loses his reaction to tuberculin?

11 A There is. Absolutely and, in fact, a better
12 paper is Kawashima -- no, Kawashima Takashira, who
13 worked with Dr. Griffin, where they looked at loss of
14 PPD responsiveness following natural disease.

15 Q Yes.

16 A Absolutely, there are changes in immune
17 cells following natural measles and following the
18 vaccine. But the PPD is used as an indication of
19 immunologic memory to tuberculosis. There is no
20 evidence that measles virus vaccination has any
21 clinical impact on reactivation, expression or
22 manifestations of clinical tuberculosis. So it is an
23 observation of a change of no apparent clinical
24 significance.

25 Q So Doctor, if somebody who had previously

1884A

WARD - CROSS

1 reacted to a tuberculosis test suddenly lost his
2 ability to mount a reaction to a tuberculosis test
3 after measles vaccine, there's no concern about this
4 person's immunity?

5 A None whatsoever, because in clinical
6 experience, we have vast experience with individuals
7 who have -- well, a third of the world's population,
8 me included, since I ran a TB ward in a refugee camp,
9 have been exposed to tuberculosis. There would be no
10 hesitation to administer measles vaccination to an
11 individual who had a known prior exposure to
12 tuberculosis and currently had latent TB.

13 Q Okay, and Doctor, you're familiar with the
14 Institute of Medicine, 1994 edition, aren't you?

15 A I am.

16 Q I think you're cited in this.

17 A I am.

18 Q Doctor, I'm afraid I don't have another
19 copy. So I'm going to wander over to you. For the
20 Court's benefit, this is on page 64 of the 1994
21 edition of IOM, Adverse Events Associated with
22 Childhood Vaccines.

23 SPECIAL MASTER HASTINGS: I'm quite familiar
24 with the document. I don't have my book copy here.
25 But there's a copy -- well, I don't know, is there a

1884B

WARD - CROSS

1 copy of that in record

1885A

WARD - CROSS

1 of the case?

2 MS. CHIN-CAPLAN: I don't believe so,
3 Special Master.

4 SPECIAL MASTER HASTINGS: Okay.

5 MS. CHIN-CAPLAN: Okay.

6 BY MS. CHIN-CAPLAN:

7 Q Now Doctor, I'm going to ask you to look at
8 page 64 of this book, and I'm going to read over your
9 shoulder, and please let me know if I've read
10 incorrectly, okay?

11 "It is also well known that many natural
12 viral infections, particularly measles, can
13 temporarily suppress components of the immune system."
14 You're cited here.

15 "And there have been concerns that live
16 attenuated viral vaccines might have a similar effect.
17 Soon after the live attenuated measles vaccine was
18 developed, it was shown that immunization temporarily
19 suppressed the delayed-type hypersensitivity skin test
20 response to purified protein derivative." That's the
21 tuberculin test, correct?

22 A Correct.

23 Q "An index of cell mediated immunity to
24 mycobacterium tuberculosis; the suppression was,
25 however, less consistent and less prolonged than the

1886A

WARD - CROSS

1 following natural measles infection, presumably
2 because of the attenuation of growth of the vaccine
3 virus at all levels." Have I read that correctly?

4 A Yes.

5 Q Then it says, "Other viral vaccines, both
6 live attenuated and inactivated, have been shown to
7 have similar, though often mild and inconstant
8 effects, on skin test responses to various antigens."
9 Have I read that correctly?

10 A Yes.

11 Q "In addition, more studies have shown that
12 after measles immunization or re-immunization, certain
13 lymphocytic functions such as the ability to replicate
14 when stimulated with phytohemagglutin" --

15 A PHA.

16 Q PHA.

17 A Easier to say.

18 Q Thank you -- "or to excrete certain
19 chemotactic factors are mildly but measurably
20 depressed; and the number of CD8 positive lymphocytes
21 falls slightly." Have I read that correctly?

22 A Yes.

23 Q So overall, IOM accepts that measles vaccine
24 can cause a temporary suppression of the immune
25 system, doesn't it?

WARD - CROSS

1 A Can you read me the section where it says
2 that this results in clinically relevant immune
3 suppression? If one has clinically relevant immune
4 suppression, one expects effects; not isolated in
5 vitro observations or a transient depression of a
6 cutaneous hypersensitivity response.

7 The huge difference here is taking these
8 observations and trying to link them together into a
9 clinically relevant state of immune suppression. All
10 of these effects are fairly transient. They are --
11 natural measles is immuno suppressive. There is no
12 evidence in the literature, that I am aware of, that
13 despite these subtle changes in immune cell function,
14 that there is any clinically relevant immune
15 suppression in a child that receives measles vaccine.

16 Q So your interpretation is that even when you
17 lose your response to a tuberculin test, that that's
18 not an indication that you're more susceptible to
19 infections?

20 A The proof is in the fact that these children
21 who transiently lose their tuberculin skin tests do
22 not release their latent tuberculosis and, therefore,
23 the clinical facts are very clear. There is not a
24 relevant loss of immune protection from latent
25 tuberculosis following measles virus vaccination.

1888A

WARD - CROSS

1 Q So your interpretation of what I just read
2 is that children who have suppressed immune,
3 immunosuppression don't have any clinical
4 abnormalities?

5 A I completely disagree with the statement
6 that these children have immunosuppression. What they
7 have is a transient loss in their tuberculin skin
8 test.

9 There are lots of people walking around with
10 loss of tuberculin skin test responses following
11 varicella, following natural infection with a wide
12 range of other things; and none of these people,
13 including those who receive measles virus vaccination,
14 crash with tuberculosis.

15 It is a clinical test that can be performed.
16 It does not appear to have any clinical relevance
17 following vaccination. If an individual were, for
18 example, to receive -- I'd be willing to be large
19 amounts of money, although I don't have any.

20 Q Nor do I.

21 (Laughter.)

22 THE WITNESS: That if one were to have --
23 that if Michelle Cedillo were to have been exposed to
24 tuberculosis, although she does not need more problems
25 -- if she had been exposed to tuberculosis as a young

1888B

WARD - CROSS

1 child, I can guarantee you that Michelle Cedillo would
2 have lost her PPD response

WARD - CROSS

1 following steroids, Imuran, Remicade, and Humira.

2 BY MS. CHIN-CAPLAN:

3 Q I don't think there's any doubt about that.

4 A I don't think there is either.

5 Q Right, right.

6 A So in those conditions, that's one of the
7 major complications of the anti-TNF therapies. You
8 lose things like delayed-type hypersensitivity
9 responses, and you reactivate latent tuberculosis and
10 other diseases. You become more susceptible to the
11 other diseases.

12 The proof is in the clinical phenotype.
13 There is no clinical phenotype of immunosuppression
14 following measles vaccination, even though one can
15 measure a number of changes in immune cell function,
16 transiently following vaccination. They're very
17 different things.

18 Q Now Doctor, it's also understood that with
19 the wild-type measles, that the period of
20 immunosuppression seen with wild-type measles can lead
21 to the development of opportunistic infections. Isn't
22 that true?

23 A Yes, it's believed that the combination of
24 the damage done by the virus, plus the immuno
25 suppressive effects of the virus result in a period of

WARD - CROSS

1 increased risk to a range of opportunistic infections.
2 Well, they're opportunistic in the case of measles.
3 They're not the classic HIV opportunistic infections.

4 Q Yes, understood; and in your opinion, does
5 that include also measles vaccine? Does measles
6 vaccine, with the known immunosuppression that it
7 causes, lead to the development of opportunistic
8 infections in a child?

9 A I have to reiterate, because you keep saying
10 that measles vaccine causes immunosuppression, that
11 there is no clinical evidence that measles virus
12 vaccine causes immunosuppression; and, therefore, no,
13 there is no clinical evidence that measles virus
14 vaccination results in enhanced susceptibility to any
15 organism.

16 Q Now Doctor, you're familiar with the VAERS
17 system here in the United States, aren't you?

18 A In fact, excuse me, the only really good
19 evidence that we have, we would argue, is in directly
20 the opposite direction.

21 Because following measles vaccination, the
22 reason that we do not vaccinate individuals with, for
23 example, other live attenuated viruses, the other
24 vaccines like yellow fever vaccine, after measles, is
25 because the antiviral state initiated by the virus

WARD - CROSS

1 might actually decrease the ability of the other
2 vaccine strains to replicate and grow and induce an
3 immune response. It is not because there is a fear
4 that those live virus vaccines might actually over-
5 grow and cause problems.

6 So in the only clear-cut example of one
7 event followed by another -- two infectious events,
8 two live viruses -- prior receipt of measles vaccine
9 does not cause problems with the control of the
10 subsequent live virus, because of immunosuppression.
11 It actually causes problems because of the anti-viral
12 state that is generated that limits the replication of
13 the subsequent viral vaccine.

14 Q Okay, now we were discussing the VAERS
15 system here in the United States. Are you familiar
16 with it?

17 A I wasn't discussing it.

18 Q Oh, I was.

19 A It has been discussed before, yes.

20 Q Let us move into the VAERS system, just very
21 briefly. You know that there is a passive reporting
22 system, a surveillance system, here in the United
23 States.

24 A Yes, I'm well aware of it.

25 Q Is there a comparable system in Canada?

1892A

WARD - CROSS

1 A Yes, there's a comparable passive
2 surveillance system that's run by the provinces and
3 ineffectually -- oh, I'm going to get in trouble for
4 saying that. It is increasingly effectively
5 administered by the Federal Government.

6 Q It has it's limitations.

7 A I really hope they're not listening.

8 (Laughter.)

9 THE WITNESS: Up until very recently, it
10 hasn't been very effectively administered by the
11 Federal Government.

12 SPECIAL MASTER HASTINGS: Speak into the
13 mike, Doctor.

14 THE WITNESS: They're allowed to retract
15 stuff; can I?

16 (Laughter.)

17 BY MS. CHIN-CAPLAN:

18 Q Well, it's a passive system. Is that it?

19 A It's a passive system, yes.

20 Q And there are limitations associated with
21 passive systems, right?

22 A We actually have two systems. We have the
23 only active system, that I'm aware of, in North
24 America, called the Impact System, that covers about
25 80 percent of admissions to tertiary care pediatric

WARD - CROSS

1 hospitals. It's an organization of 12 or 13 tertiary
2 care pediatric hospitals, where there are designated
3 nurses that seek out and evaluate a specific set of
4 clinical presentations that could plausibly be
5 associated with vaccine adverse events.

6 Q Okay.

7 A So most of it is passive.

8 Q So that's the Impact system that you're
9 referring to; is that it?

10 A That's correct.

11 Q Now Doctor, with Impact, isn't the criteria
12 to determine whether an adverse reaction is related to
13 a vaccine determined by whether that reaction could
14 occur in its natural state? Should I rephrase that?

15 A Would you rephrase that? I don't understand
16 that.

17 Q Let's assume it's measles. Under your
18 system, if measles can allow the development of
19 opportunistic infections, isn't it presumed that
20 vaccine strain can do the same thing?

21 A Well, first of all, I'm not a member of
22 Impact. So I don't know. I was a reviewer of the
23 Impact system for their funding renewal in 2001/2002,
24 and I don't recall any statement of principle of that
25 nature in their mandate. I mean, I fairly carefully

1894A

WARD - CROSS

1 reviewed the entire program, and I don't recall that
2 ever being a statement of principle.

3 Q Okay, so you don't know.

4 A I reviewed the Impact Statement of Mission.
5 What you're stating is a general statement of
6 causality. It is a reasonable hypothesis that if a
7 natural disease does "x", that an attenuated virus of
8 the same kind might do less of "x", but might still do
9 a little bit of "x".

10 So absolutely, it's another one of these
11 hypotheses, where it was a reasonable hypothesis at
12 the time that the attenuated vaccine was introduced.
13 We now have more than 50 years of experience with this
14 vaccine, and it hasn't proven to be the case. So,
15 therefore, that hypothesis has proved to be false.

16 Q But I'm talking about your surveillance
17 system here. Under your surveillance system, if wild
18 measles can cause, allow the development of
19 opportunistic infections, would it be presumed that
20 measles vaccine could do the same?

21 A On the day that the first dose that was
22 administered, that was a very reasonable hypothesis
23 and, in fact, it proved to be true. Edmonston B, when
24 it was first introduced, was too rough a virus. It
25 wasn't attenuated enough. Too many children got sick.

1895A

WARD - CROSS

1 Too many children got a rash. That vaccine was
2 withdrawn and replaced with a more attenuated virus.

3 If you were to ask me, do I think that wild-
4 type virus and measles vaccine virus, as it's
5 currently used, where would I place Edmonston B virus
6 in its virulence and for a variety of side effects, my
7 hypothesis would be that it would fall in between.
8 However, we don't have 50 years of experience with
9 Edmonston B virus, because it was withdrawn because it
10 was too much like the wild-type virus to be accepted.

11 We have 50 years of experience plus with the
12 attenuated virus that we have really in current use,
13 and it has not proved to be immuno suppressive in any
14 clinically relevant way.

15 Q So to your knowledge, how would the Canadian
16 surveillance system treat such a situation where a
17 child who was immunized with measles vaccine appeared
18 within seven days, with an opportunistic infection?

19 A You'll have to define an opportunistic
20 infection for me because -- do you mean if a child
21 appeared with otitis media?

22 Q Otitis media -- what is your understanding
23 of opportunistic infections?

24 A The term is primarily used to define
25 individuals who have immunosuppression. And so if an

1895B

WARD - CROSS

1 HIV individual -- so it's

1896A

WARD - CROSS

1 fairly simple for HIV and for those with clearly
2 defined immuno suppressive states, following
3 chemotherapy, following other things. I mean, if an
4 individual arrived with --

5 I mean, it's impossible to answer, because
6 we define opportunistic infections as those that occur
7 following immuno suppressive events, and measles
8 vaccine is not known to be immuno suppressive.

9 So if a child arrived with a pneumonia or an
10 otitis, the Impact nurses would not look for it,
11 because it's not relevant, clinically.

12 Q Okay, so your answer would be no.

13 A Well, my answer was a lot longer than no.

14 (Laughter.)

15 THE WITNESS: But the general tenor of the
16 answer is no, because opportunistic infections are not
17 known to occur following measles vaccine.

18 BY MS. CHIN-CAPLAN:

19 Q Now Doctor, on page six of your handout, you
20 talked about cytokines and inflammation.

21 A Yes.

22 Q This is just some general information. Do
23 you know the effect of inflammation on the blood brain
24 barrier?

25 A Well, sure, I have a reasonable

1896B

WARD - CROSS

1 understanding. It is not my area of expertise. I do

1897A

WARD - CROSS

1 not study the blood brain barrier. But as a scientist
2 interested in viral infections and infectious diseases
3 in general, the general statement would be that local
4 inflammation damages the blood brain barrier badly,
5 and peripheral inflammation can influence the blood
6 brain barrier in more subtle ways.

7 Q Okay, so infection in general can influence
8 the blood brain barrier?

9 A Well, that's an area of very active
10 investigation right now. How important is it? To
11 what extent can peripheral inflammation influence the
12 integrity of the blood brain barrier? There are many
13 investigations ongoing right now that are trying to
14 address that question.

15 Q So would it be fair to state that normally
16 the blood brain barrier is intact, and doesn't permit
17 large molecules to pass through?

18 A Yes, I think that would be a reasonable,
19 general statement.

20 Q Okay, and when there's infection or
21 inflammation present, does the blood brain barrier
22 become more porous?

23 A If there's an infection of the area of the
24 brain, absolutely. The blood brain barrier becomes a
25 sieve. Whether that also applies -- it absolutely

1897B

WARD - CROSS

1 does not apply to the same

WARD - CROSS

1 extent for peripheral inflammation.

2 But it's a reasonable hypothesis that
3 extensive peripheral inflammation might influence the
4 blood brain barrier to some extent. But as I said,
5 that's an area of active investigation, where I have
6 limited expertise.

7 Clearly, for example, interleukin-1,
8 elaborated at a distant site, gets into the brain,
9 because it has its effect on the brain in raising body
10 temperature. That's why it was called endogenous
11 pyrogen. You have an abscess in the periphery. The
12 white blood cells produce interleukin-1. It goes to
13 the brain, and the brain then increases your thermal
14 regulatory set point, and you have fever.

15 So there's clear evidence that molecule
16 cytokines can get into the brain and have some
17 effects. But the general effect of peripheral
18 inflammation on the integrity of the blood brain
19 barrier, I think at this point, is a very complex
20 subject.

21 Q Okay, now could we just generally turn to
22 the D'Souza articles, of which you are co-author; am I
23 correct?

24 A I guess I can claim to be senior author.

25 Q Doctor, let's look at the first D'Souza

1899A

WARD - CROSS

1 article, which is contained at Respondent's Exhibit
2 BB, Attachment 30.

3 A I cannot resist. But when I offered this
4 project to Yasmin D'Souza several years ago, her
5 initial response was, "But this problem is resolved;
6 no one will be interested in this work." So I say
7 publicly, "I told you so."

8 (Laughter.)

9 MS. CHIN-CAPLAN: You can tell her that her
10 reputation is skyrocketing.

11 BY MS. CHIN-CAPLAN:

12 Q Doctor, this is the first D'Souza article,
13 correct?

14 A Yes.

15 Q And the title of it is, "No Evidence of
16 Persisting Measles Virus in Peripheral Blood
17 Mononuclear Cells From Children with Autism Spectrum
18 Disorder."

19 Now Doctor, you touched on this very
20 briefly, and I just wanted to explore this a little
21 bit further. You decided to do the blood work of
22 children, as opposed to the gut tissue of children,
23 autistic children in this situation, correct?

24 A That's correct.

25 Q And your reason for not doing the gut tissue

1900A

WARD - CROSS

1 was because you believe that it was unethical to do
2 it?

3 A Actually, I had a discussion with the head
4 of our IRB, the Institutional Review Board; and I
5 asked the question whether it would be acceptable to
6 enroll children in a study, autistic children in a
7 study where a part of the study protocol included
8 endoscopy and a gut biopsy.

9 And his simple question was, in the
10 gastroenterologic community, the pediatric
11 gastroenterologic community, would an endoscopy be
12 considered to be a medically-necessary procedure? So
13 I spoke to the pediatric gastroenterologists in the
14 area, and they indicated that it would not be.

15 So it was a non-issue. We could have had
16 access to biopsies from autistic spectrum disorder
17 children, who were having endoscopies performed
18 because of the severity of their gastrointestinal
19 symptoms; but not from other ASD children.

20 Q Okay, for ASD children who had GI symptoms,
21 they thought it would be appropriate for them to have
22 an endoscopy performed?

23 A Only if the GI symptoms met a certain level
24 of severity, which is not my area of expertise. But
25 the opinion of the pediatric gastroenterologist, most

1900B

WARD - CROSS

1 particularly Ernie Seidman at the time, who was the

1901A

WARD - CROSS

1 head of H^opital St. Justin, St. Justin's Hospital, at
2 the University of Montreal, his opinion was that only
3 a rare autistic child would meet the criteria of a
4 medically-necessary endoscopy.

5 Q And did he tell you the symptoms that this
6 rare autistic child would need to have before we had
7 an endoscopy?

8 A I didn't pursue beyond that point to get
9 specific details of what he would consider to be
10 necessary or unnecessary. The identical study
11 replicating the Uhlmann work was impossible at that
12 point. I suppose we could have done it, but it would
13 have taken 10 years to collect the specimens.

14 Q Doctor, the children that you had on page
15 1673 of this article, indicates that almost 80 percent
16 of the children with ASD had gastrointestinal
17 complaints; versus 32 percent of control population.

18 A That's correct.

19 Q That's a very high number.

20 A It was a very low bar. A gastrointestinal
21 complaint could be a constipation, a modest
22 constipation, abdominal discomfort. These were not
23 the gastrointestinal complaints that would serve as
24 the criteria for an endoscopic procedure. So the bar
25 was set very low.

1902A

WARD - CROSS

1 Q And you've already told me though that you
2 don't know what the criteria was for a child to
3 receive an endoscopic procedure.

4 A None of these children, that I'm aware of,
5 had endoscopic procedures. So I am making, I think, a
6 reasonable assumption that they didn't meet the
7 criteria that were in place at the Montreal Children's
8 Hospital at the time.

9 Q So 80 percent of them had GI symptoms. They
10 were considered to be minor symptoms; was that it?

11 A That's correct.

12 Q Not deserving of an endoscopy.

13 A I wouldn't use the word "deserving." I
14 would use that an endoscopy was not appropriate, given
15 the degree of their symptoms.

16 Q Doctor, did you have an opportunity to read
17 the "Dear Doctor" letter that was sent out by Autism
18 Speaks at all?

19 A No, I did not.

20 Q Okay.

21 A I should also say that I was not involved in
22 the clinical care of these autistic children.

23 Q So just to be certain, you only drew their
24 bloodwork to test their blood.

25 A I didn't even draw their bloodwork. That's

1903A

WARD - CROSS

1 what you do when you're a senior author. You ask
2 other people to do those things.

3 Q That's the good part about it. So Doctor,
4 when you drew this bloodwork, did you know what the
5 correlation was between gut positives, gut biopsies,
6 and positive peripheral blood mononuclear cytes were?

7 A Could you repeat the question, please?

8 Q Sure, when you drew this bloodwork to do
9 this testing, did you have any idea what the
10 correlation would be between positive gut biopsies and
11 bloodwork?

12 A No, in fact, all we knew was that there were
13 two published articles; one by Uhlmann and one by
14 Kawashima. The one suggesting that there was
15 detectable measles, nucleic acids in gut biopsies; and
16 the other just demonstrating detectable measles
17 nucleic acids in peripheral blood mononuclear cells.

18 Q Okay.

19 A To my knowledge, at the time, Doctor
20 Kawashima had not tested gut biopsies, and Dr. Uhlmann
21 had not tested peripheral blood mononuclear cells.

22 Q So as you're sitting here, you still don't
23 know what the correlation would be?

24 A I know that in many cases, Dr. Uhlmann's
25 laboratories received both gut biopsies and peripheral

WARD - CROSS

1 blood mononuclear cells; and I know that Doctor
2 O'Leary has never published any data correlating what
3 would have been a very logical study to perform.

4 Q So as you're sitting here today, you still
5 don't know what the correlation is between positive
6 gut biopsies and findings in the blood?

7 A I do not, because those in a position to
8 publish that information have not done so.

9 Q Okay, now Doctor, there are a lot of
10 citations to the Afzal article, as well. You have to
11 give me a moment.

12 That would be under Respondent's Exhibit BB,
13 Attachment 4, and this is the Afzal article that
14 everybody else cites, Doctor?

15 A Yes.

16 Q The title of this is, "Absence of Detectable
17 Measles Virus Genome Sequence in Blood of Autistic
18 Children Who Have Had Their MMR Vaccination During the
19 Routine Childhood Immunization Schedule of U.K.."
20 Have I read that title correctly?

21 A I assume so.

22 Q Okay, now Doctor, when you reviewed this
23 article, it makes that same statement that you had
24 made earlier, about endoscopies and ethical
25 considerations in autistic children. Am I correct?

1905A

WARD - CROSS

1 A Yes, somewhere in this article, they make a
2 statement to that effect.

3 Q Okay, I'm going to refer you to page 629 of
4 this article, under the discussion, the very last full
5 paragraph. Doctor Afzal states, "It was difficult to
6 obtain ethical permission for the collection of gut
7 biopsies and CSF from the patients studied here, as
8 collection would involve highly invasive procedures.
9 It was, therefore, necessary to examine leukocytes in
10 the study, in contrast to previous published work,
11 where gut biopsies and CSF preparations had been the
12 main tissues examined." Have I read that correctly?

13 A Yes.

14 Q So Doctor Afzal recognized that this could
15 be a potential drawback of the results in his study.
16 Isn't that true?

17 A Absolutely.

18 Q And Doctor, to your knowledge, when you look
19 at his study, his subjects, did any of these autistic
20 children have gut symptoms?

21 A Well, actually, I'm not sure if that was
22 reported in the article. I don't know.

23 Q Okay, so we don't know whether this result
24 here correlates to any of the children at all who have
25 gut symptoms?

WARD - CROSS

1 A Because I don't know, if I can have a moment
2 to look to see if that was detailed -- but since this
3 study also did not test CSF or gut biopsies, then by
4 definition, they would not have a correlate with GI
5 symptoms.

6 Q Okay, but actually, this article contains
7 even less information than yours did about the
8 clinical status of these children's gut symptoms,
9 correct?

10 A I don't know if it contains more or less.

11 Q If you look through this article, there
12 doesn't seem --

13 A I'm willing to trust you that it contains
14 less information than ours.

15 Q Okay, so this one doesn't even tell us how
16 many of these children had gut symptoms. Your article
17 tells us that 80 percent of them had gut symptoms.
18 Neither one of them can correlate the findings in the
19 blood with positive gut biopsies. Am I correct?

20 A You can't actually do a correlate with a
21 negative finding.

22 Q Okay.

23 A That's an irrelevant statistical analysis.
24 If you find nothing, then there is nothing to
25 correlate it with. Neither the Afzal study nor our

1907A

WARD - CROSS

1 study found any positive results. Therefore, we could
2 not have done any correlative studies with any
3 hypothetical, in the case of Afzal; or in our case,
4 documented gut symptoms.

5 Q But you didn't look in the gut, correct?

6 A You were asking me about correlations, and I
7 was simply telling you that that can't be done.

8 Q Okay.

9 A Okay. But you don't find anything.

10 Q Because you didn't look in the gut.

11 A Well, we did look in the gut in inflammatory
12 bowel disease individuals. We shouldn't forget that
13 Dr. Wakefield and his colleagues spent a decade trying
14 to convince people that measles virus vaccine strain
15 was the cause of inflammatory bowel disease. We also
16 failed to find any evidence of measles virus nucleic
17 acid in inflammatory bowel tissues.

18 So the question of whether we did any
19 correlative study, I answer that by saying that was an
20 impossible statistical analysis to do, because we
21 found no virus.

22 Q And you're talking about your most recent
23 article that was published in Gut?

24 A Correct.

25 Q Doctor, that Respondent's Exhibit BB, Tab 29

1908A

WARD - CROSS

1 -- Doctor, the subjects in this case were patients
2 with inflammatory bowel disease, correct?

3 A That's correct.

4 SPECIAL MASTER HASTINGS: Can you help me,
5 again? Which?

6 MS. CHIN-CAPLAN: It's Respondent's Exhibit
7 BB, Tab 29.

8 SPECIAL MASTER HASTINGS: "B" as in boy.

9 MS. CHIN-CAPLAN: Double B.

10 SPECIAL MASTER HASTINGS: And Tab 29?

11 MS. CHIN-CAPLAN: Correct.

12 SPECIAL MASTER HASTINGS: Thank you.

13 BY MS. CHIN-CAPLAN:

14 Q So Doctor, this looked at patients with
15 inflammatory bowel disease.

16 A That's correct.

17 Q And inflammatory bowel disease is what? Can
18 you just describe the categories of inflammatory bowel
19 disease for me?

20 A Well, again, I'm not an inflammatory bowel
21 disease expert. But my general understanding is that
22 it's divided into Crohn's Disease, ulcerative colitis,
23 and other inflammatory bowel disease, that cannot be
24 characterized as one or the other definitively.

25 Q Okay, and Doctor, is there a distinction

1909A

WARD - CROSS

1 between ulcerative colitis and Crohn's Disease?

2 A Yes, there are clinical distinctions and
3 pathological distinctions between the different types
4 of inflammatory bowel disease.

5 Q And would it be fair to state that
6 ulcerative colitis involves the lower GI tract?

7 A Yes, as a general statement -- I think there
8 was testimony to that effect that it can occasionally
9 involve the distal ileum as well. But it is generally
10 restricted to the large bowel.

11 Q Okay. And Crohn's involves both the upper
12 and lower GI tract?

13 A It can. It has the potential to do that.

14 Q Now, Doctor, in this study, how many were
15 children?

16 A Oh, I would have to look. I think the mean
17 age was in the young adult or late adolescent, but I'd
18 have to actually look to remember.

19 Q I see in Table 1, there's a range of 5.4 to
20 47.5?

21 A Yes, that's right. And the mean age was,
22 yes, young adults.

23 Q Okay. That was for Crohn's disease,
24 correct?

25 A Yes. We had a lot more detail in the full

1910A

WARD - CROSS

1 manuscript, but the journal told us to cut it down to
2 1,000 words so there's a lot less detail than there
3 should have been in this paper. It was a lot longer
4 as originally submitted.

5 Q Editors. So, Doctor, in Crohn's disease the
6 age range was 5.4 to 47.5?

7 A That's correct.

8 Q For ulcerative colitis it was 13 to 77?

9 A Yes. A much broader range.

10 Q And for noninflammatory, 2.5 to 69?

11 A Correct.

12 Q Out of these, that group of numbers, how
13 many of them were actually children? Do you know?

14 A I can't tell you that off the top of my
15 head. I'm sorry.

16 Q Okay.

17 A They were mostly derived from -- well, you
18 can get an idea. Most of the noninflammatory ones,
19 because the mean age is 16 for the noninflammatory
20 condition, so I would say that most of them were
21 adolescents or younger.

22 Q Okay.

23 A And I would say that probably most of the
24 Crohn's were adolescents as well, so if you define
25 children as under 12 I would be able to say from the

1911A

WARD - CROSS

1 ranges and the means that not very many of these
2 children were young children in the five to six year
3 and below range.

4 Q Any of them autistic?

5 A No, none were autistic.

6 Q Okay.

7 A They were not specifically tested as
8 autistic or not, but they were not flagged as ASD
9 subjects.

10 Q Okay. So, Doctor, isn't that a little
11 drawback to this study that you didn't study any
12 autistic children?

13 A This was not a study directed specifically
14 to ASD MMR. This was directed to the earlier
15 Wakefield hypothesis of IBD and MMR.

16 Q Okay. So no ASD GI interpretation in this
17 article?

18 A Only insofar as it addresses the criticism
19 by Dr. Hepner that the primer, the testing that we did
20 by PCR using the Uhlmann primers is inappropriate
21 because we used the wrong tissue and we amplified
22 nonspecific sequences only in PBMC.

23 This paper demonstrates quite clearly that
24 those same primers also amplified nonspecific host
25 genes in gut and so that is directly relevant to Dr.

1912A

WARD - CROSS

1 Hepner's concern regarding our PCR testing.

2 Q But, Doctor, her other consideration was
3 also that you tested the bloodwork of autistic
4 children. You didn't test the gut tissue of autistic
5 children.

6 So wouldn't that be a similar criticism
7 here; that you did not test the gut tissue of autistic
8 children?

9 A Correct. This deals with the earlier
10 Wakefield hypothesis, but it also by chance also deals
11 I think quite effectively with the criticism that you
12 can't say anything about the nonspecificity of the
13 primers because we only tested PBMCs.

14 In fact, what we demonstrate quite clearly
15 is that the Uhlmann primers amplify human genes in the
16 gut, and that means that application of those primers
17 in gut tissue would be expected to amplify human
18 genes. Therefore, sequencing is critical. Therefore,
19 the lack of sequencing by the O'Leary Lab is a fatal
20 flaw.

21 Q Doctor, I just had a question on this. I'm
22 looking at page 15 of your slide presentation. You
23 were indicating the vulnerabilities of PCR testing.

24 A Yes.

25 Q We're talking about amplicon. Amplicon

WARD - CROSS

1 size.

2 A Yes. Amplicon just means the segment of DNA
3 that's amplified by the PCR reaction.

4 Q But, Doctor, you indicated in the amplicon
5 size that you found a band at roughly 150.

6 A Yes. That was the anticipated size.

7 Q All right. But didn't you also say that the
8 findings were kind of smudgy? You really couldn't
9 tell whether it was positive or negative?

10 A Oh, no. You can tell there's a band there,
11 but there's also a smear, which is an indication that
12 the assay -- that something about the assay is not
13 optimal. It could be that the primers are bad. It
14 could be that the conditions are bad.

15 All I can tell you is that we spent months
16 trying to optimize these assays and were unable to get
17 prettier pictures than these, suggesting that there
18 was nonspecific amplification, which was proved by the
19 sequencing.

20 Q Doctor, when you get a smudgy picture like
21 this though wouldn't some molecular biology people say
22 that this is not a positive; it's a negative?

23 A Well, you can ask Dr. Bustin that because he
24 knows more about PCR than I ever will.

25 Q All right.

WARD - CROSS

1 A I think that he would interpret this as a
2 positive result in a suboptimal assay, a suboptimized
3 assay, despite our best efforts to optimize it.

4 Q Doctor, I want you to assume something. I
5 want you to assume that you have a child who has a
6 positive gut biopsy for measles, a positive result in
7 the peripheral blood for measles, positive CSF
8 findings, and the child has a neurological condition.

9 Would you assume that that neurological
10 condition was related to the positive CSF result?

11 A If I had confidence in the results of the
12 testing, I think that that would be the only logical
13 conclusion unless there was some other pathogen
14 identified as a co-pathogen.

15 MS. CHIN-CAPLAN: Thank you. I have no
16 further questions.

17 SPECIAL MASTER HASTINGS: All right. Let me
18 check my notes, Dr. Ward.

19 THE WITNESS: May I add one caveat to that
20 last answer?

21 SPECIAL MASTER HASTINGS: All right.

22 THE WITNESS: And it's not in any way to
23 change the answer because I think my first response is
24 absolutely that would be the conclusion.

25 However, I would expect an individual with

WARD - CROSS

1 measles virus in the brain to have a clinical
2 presentation that was compatible with what is
3 currently known about the persistence of measles virus
4 in the brain.

5 At the current time, the only two situations
6 where we know that to occur are in SSPE and measles
7 body inclusion encephalitis, so if the neurologic
8 condition that Ms. Chin-Caplan was referring to was
9 compatible with SSPE or measles inclusion body
10 encephalitis, then my answer is absolutely true.

11 If the neurologic condition that she was
12 referring to is anything other than those well known
13 clinical entities then I would have to reserve
14 judgment until I learned more because I would clearly
15 be witnessing new biology. If there was a true
16 association that individual would be the first person
17 with that syndrome that I would ever have seen and
18 would ever have been seen in the medical literature.

19 So I still think I would be inclined to
20 think that there was a link, but the answer would not
21 be as definitive if the neurologic condition was other
22 than SSPE or measles inclusion body encephalitis.

23 SPECIAL MASTER HASTINGS: All right. Most
24 of my questions have been asked. Let me ask this.
25 You've looked at Michelle Cedillo's records?

1916A

WARD - CROSS

1 THE WITNESS: I have, yes.

2 SPECIAL MASTER HASTINGS: Are you familiar
3 with her course as stated in the medical records at
4 that first visit after the MMR vaccine where she had
5 the onset of fever about seven days after vaccination?

6 THE WITNESS: Yes.

7 SPECIAL MASTER HASTINGS: Given what you
8 know from those medical records, was the onset, was
9 that fever likely a result of the measles vaccine?

10 THE WITNESS: I think there's every reason
11 to believe that the fever with onset seven days after
12 the vaccination is associated with measles.

13 I mean, it's a temporal association, and
14 just my personal experience with three kids tells me
15 that they get a lot of fevers for a lot of reasons,
16 but the temporal association certainly suggests that
17 that fever was a result of the live attenuated virus
18 circulating in her blood. I think that's a very
19 reasonable assumption.

20 SPECIAL MASTER HASTINGS: What about the
21 recurrent fever several days later?

22 THE WITNESS: I think that's less likely.
23 Certainly when she presented at that subsequent time
24 she had a purulent nasal discharge and was treated
25 with antibiotics because somebody thought she had a

1917A

WARD - CROSS

1 bacterial infection.

2 You don't treat measles vaccine reactions
3 with antibiotics, and measles vaccination has, to my
4 knowledge, never been reported to result in a purulent
5 nasal discharge so I think that it's entirely
6 plausible that Michelle was incubating a second
7 infection around the time that she was having her mild
8 measles rash.

9 I don't know what the rash was. I didn't
10 see it, but when she was in her viremic stage of her
11 vaccination.

12 SPECIAL MASTER HASTINGS: All right.

13 THE WITNESS: The temperature of 105 is
14 unusual, but it is not unprecedented as a reaction to
15 measles virus vaccination. Kids can get fevers that
16 high following measles virus vaccination.

17 Different children react in different ways
18 to identical stimuli, and some generate very, very
19 high fevers and some of them generate those fevers
20 quite consistently no matter what the stimulus.

21 SPECIAL MASTER HASTINGS: Thank you, Doctor.

22 Any redirect for this witness, Ms. Babcock?

23 MS. BABCOCK: I have one question.

24 SPECIAL MASTER HASTINGS: Please go ahead.

25 //

WARD - REDIRECT

1 REDIRECT EXAMINATION

2 BY MS. BABCOCK:

3 Q Dr. Ward, you were questioned at length
4 about an article which I believe was in Petitioners'
5 Exhibit 79. It looks to be an article -- they've done
6 some work -- that was published in 1993 that you did.

7 A Yes.

8 Q Is there anything else you wanted to comment
9 on that beyond what Ms. Chin-Caplan asked you?

10 A No, not really. I think we went back and
11 forth and back and forth a number of times.

12 That's not the only paper. There are many
13 papers that demonstrate that the immune system after
14 measles vaccination, as after many other vaccinations
15 -- rubella, varicella -- the immune system has
16 changed. There are things you can measure. That
17 would be expected. I mean, you give the vaccine to
18 elicit a response and so seeing the immune cells
19 responding is not unexpected.

20 I think that the only point that I would
21 make is that these in vitro, these transient in vitro
22 phenomena that we can identify, are interesting
23 because they tell us about how the vaccine is working,
24 and they may in the future help us to develop better
25 vaccines that work in slightly different ways, but

1919A

WARD - REDIRECT

1 they don't say anything about the clinical status,
2 immune status, of the child who's received this
3 vaccine.

4 There's more than a half a century's
5 experience saying that children are not immuno
6 suppressed after they receive measles virus
7 vaccination. We give this vaccine to children with
8 HIV. If this virus, this vaccine virus was known to
9 be immuno suppressive that would actually be a fairly
10 stupid thing to do, but that is the current
11 recommendation.

12 That's not saying that the WHO has never
13 made recommendations that are always perfect, but we
14 actually have a fair number of years of experience
15 with that recommendation. When we give this vaccine
16 even to children with HIV they don't do worse, so it
17 seems unlikely that there's any clinically relevant
18 immunosuppression.

19 MS. BABCOCK: I don't have anything further.

20 SPECIAL MASTER HASTINGS: Okay.

21 SPECIAL MASTER VOWELL: Just a clarification
22 there, Doctor.

23 You said this vaccine is given to HIV
24 positive children. Are you referring to the
25 monovalent measles vaccine or the MMR vaccine or

1919B

WARD - REDIRECT

1 either?

WARD - REDIRECT

1 THE WITNESS: In the developing world in
2 many countries it's measles that is administered. In
3 some emerging countries, emerging economy countries
4 where they have high HIV prevalence rates, they give
5 either MR or MMR, and in the wealthier industrialized
6 countries, we typically give MMR.

7 The recommendation for measles-rubella or
8 measles-mumps-rubella vaccination in all of those
9 situations is the same; that it should be administered
10 even in the setting of HIV so long as there is no
11 clinically evidenced immune suppression from the HIV.

12 SPECIAL MASTER VOWELL: Thank you.

13 THE WITNESS: And in fact the monovalent
14 vaccine contains exactly the same number of viral
15 particles -- it's 1,000 viral particles -- as the
16 trivalent MMR, so precisely the same amount of vaccine
17 virus is going into the body of a vaccinee whether
18 they're getting measles alone, measles-rubella or
19 measles-mumps-rubella vaccine.

20 SPECIAL MASTER HASTINGS: All right.
21 Anything further for this witness, Ms. Chin-Caplan?

22 MS. CHIN-CAPLAN: Just one last thing.

23 SPECIAL MASTER HASTINGS: Go ahead.

24 MS. CHIN-CAPLAN: Thank you.

25 //

1921A

WARD - RECROSS

1 RECROSS-EXAMINATION

2 BY MS. CHIN-CAPLAN:

3 Q Doctor, you would agree that measles can
4 persist?

5 A It's documented that measles does persist,
6 SSPE and measles inclusion body encephalitis.

7 Q So we know that it's a persistent virus in
8 some people?

9 A Absolutely. It can persist.

10 Q And is there any knowledge about why it
11 persists in some people and not others?

12 A That's one of the holy grails of measles
13 virologists. We would love to know why it persists in
14 some individuals in SSPE. In measles inclusion body
15 encephalitis we have a pretty good idea why it
16 persists.

17 Q Okay. You mentioned Oldstone earlier in
18 your testimony, so I'm sure you've read Dr. Oldstone's
19 articles, correct?

20 A He's written a huge number of them, so I
21 doubt I've read all of them.

22 Q He has, hasn't he. This one that I'm
23 referring to is contained at Petitioners' Exhibit --
24 under Dr. Kinsbourne opinion at Tab VV.

25 A This is the LCMV study in mice?

WARD - RECROSS

1 Q No. The title of this is Viral Persistence,
2 Parameters, Mechanisms and Future Predictions.

3 A This is his review article.

4 Q This is his review article. Exhibit 61VV.

5 SPECIAL MASTER HASTINGS: What's the tab
6 number again?

7 MS. CHIN-CAPLAN: VV.

8 SPECIAL MASTER HASTINGS: VV. Okay. Thank
9 you.

10 MR. MATANOSKI: I'm sorry. We don't have
11 copies of your exhibit.

12 SPECIAL MASTER HASTINGS: Do you have a copy
13 of that? We're going to get him a copy.

14 Okay. What was your question?

15 BY MS. CHIN-CAPLAN:

16 Q Doctor, you would agree that Dr. Oldstone is
17 a well-known virologist in his field?

18 A Yes. He's one of the most respected
19 virologists in North America.

20 Q And his work has involved virtually his
21 entire career about looking at persistent viral
22 infections? Is that true?

23 A Yes.

24 Q Doctor, he's written many articles on viral
25 persistence, hasn't he?

WARD - RE CROSS

1 A Yes.

2 Q And you indicated that this was a review
3 article, correct?

4 A Yes.

5 Q And a review article involves looking at the
6 literature and summarizing what's present out there in
7 the literature? Is that it?

8 A Yes.

9 Q Okay. Doctor, if you look at the
10 introduction of this article it says:

11 "One of the remarkable advances in modern
12 virology is the realization that persistent viral
13 infections exist and are common. Hence, understanding
14 the principles by which persistence is initiated and
15 maintained, as well as the pathologic consequences of
16 continued viral replication in a host over its life in
17 terms of causing disease provides research areas of
18 high significance, as well as opportunities for
19 challenging investigation."

20 I've read that correctly, haven't I?

21 A I assume so.

22 Q It states further in the next paragraph,
23 "The three foundations upon which the understanding of
24 persistent infection rests are: First, that the host
25 immune response fails to form or fails to purge virus

WARD - RE-CROSS

1 from the infected host. Thus, viral persistence is
2 synonymous with evasion of the host's immunologic
3 surveillance system."

4 Doctor, when you look at that sentence does
5 that indicate that there has to be some sort of immune
6 dysfunction for a virus to persist?

7 A Absolutely not.

8 Q It doesn't?

9 A HIV persists and infects entirely
10 immunocompetent individuals.

11 Q So that statement doesn't mean that there
12 has to be some sort of immune dysfunction for a virus
13 to persist?

14 A It depends on the virus. It really is
15 dependent upon the viral biology.

16 Viral persistence is a dance between virus
17 and host, and there are clearly some viruses that can
18 persist in entirely normal hosts.

19 Q It says further going on that topic --

20 A This has been read into the record before,
21 has it not?

22 Q I don't know. Has it?

23 A I thought so, but go ahead.

24 Q Okay. "Recent advances have shed light on
25 the cellular and molecular players involved.

1925A

WARD - RE CROSS

1 "Second, viruses can acquire unique
2 components or strategies of replication; that is,
3 viruses can regulate expression of both their own
4 genes and host genes to achieve residence in a
5 nonlytic state within the cells they infect."

6 So, Doctor, when you hear that statement is
7 that an indication that a virus can exist in a host
8 and not kill the host?

9 A Absolutely. We all, to our credit or shame,
10 carry a large number of herpes viruses, and they don't
11 kill us for the most part.

12 So you know, Epstein-Barr virus,
13 cytomegalovirus, ASV1 and 2. We all have our fair
14 share of those viruses, and they don't kill us. These
15 are general statements of established virologic fact.

16 Q And the third criteria was, "The type of
17 diseases that persisting viruses cause are often novel
18 and unexpected."

19 Would you consider this type of situation of
20 MMR, persistent MMR, a measles infection causing
21 autism, novel and unexpected?

22 A Well, if it were true it would be novel
23 because it has not been described.

24 As I said, if it were true it would clearly
25 be novel, and therefore my comment about Dr.

1926A

WARD - RE CROSS

1 Bradstreet's choice of journal to publish his
2 purportedly novel findings. It just seems wildly
3 illogical.

4 Q But novel?

5 A Well, if it's true then it's novel. If it's
6 true and novel, it should not be in the Journal of the
7 American College of Physicians and Surgeons, a
8 nonindexed journal.

9 If it's also true and novel it should be in
10 Dr. Oldstone's review written in 2006, and it is not.
11 The obligation of an academic in writing a review
12 article is to include all relevant information, and
13 the MMR hypothesis as its relation to autism is not in
14 this review article.

15 Q This review article speaks just of viral
16 persistence, doesn't it?

17 A Is that not the hypothesis?

18 Q Yes, but that's what I'm saying. It just
19 speaks of viral persistence. It doesn't speak
20 specifically about measles causing autism, does it?

21 A It does not.

22 Q Thank you. Doctor, when you continue on in
23 that paragraph it says, "The result is a disturbance
24 in the host's biologic equilibrium. That's one
25 important direct effect of persistent virus

WARD - RE CROSS

1 replication is to disorder the normal homeostasis of
2 the host and thereby cause disease without destroying
3 the infected cell."

4 That's happened, correct?

5 A In a variety of viral infections it happens,
6 yes.

7 Q Okay. So nothing new there?

8 A It would be brand new for measles because it
9 does not happen with measles that we know of.

10 Q Okay. That we know of.

11 A When measles infects a neuron it causes
12 abnormality as we saw on the slide. When measles
13 infects most other cells it kills them through
14 syncytia formation. That is the known biology.

15 You cannot extrapolate from observations
16 with herpes viruses and HIV and go to measles without
17 implying new biology, which is possible. New biology
18 is always possible. It's just that the evidence to
19 date doesn't support that new biology.

20 Q Okay. One last sentence. Dr. Oldstone
21 gives an example: "A virally caused neurotransmitter
22 defect of neurons altering cognitive learning and
23 yielding behavioral disorders."

24 That's a little like what autism is, isn't
25 it?

WARD - RECROSS

1 A I am not an autism expert, but as a general
2 description of a category into which autism would
3 fall, yes, I guess that would describe some of the
4 children with ASD.

5 Q Okay.

6 A But he does not talk about measles.

7 MS. CHIN-CAPLAN: Okay. Thank you, Doctor.

8 SPECIAL MASTER HASTINGS: Anything further
9 for this witness?

10 MS. BABCOCK: No, Special Master.

11 (Witness excused.)

12 SPECIAL MASTER HASTINGS: I suggest we take
13 our lunch break at this time.

14 I guess we have Dr. Bustin coming up this
15 afternoon?

16 MR. MATANOSKI: That's correct, sir.

17 SPECIAL MASTER HASTINGS: Which I'm guessing
18 may be a while.

19 Do we still want to take the full hour
20 lunch? Do you both want to cut it short, or would you
21 rather take the full? You want the full break? Okay.

22 It is 12:27. We will be back here in one
23 hour to start the afternoon.

24 Thank you.

25 //

1929

1 (Whereupon, at 12:27 p.m., the hearing in
2 the above-entitled matter was recessed, to reconvene
3 this same day, Wednesday, June 20, 2007, at 1:30 p.m.)

4 //
5 //
6 //
7 //
8 //
9 //
10 //
11 //
12 //
13 //
14 //
15 //
16 //
17 //
18 //
19 //
20 //
21 //
22 //
23 //
24 //
25 //

1930

1 A F T E R N O O N S E S S I O N

2 (1:35 p.m.)

3 SPECIAL MASTER HASTINGS: We're back from
4 our lunch break, and next we're going to take the
5 testimony of Dr. Bustin, who is in the witness chair.

6 Ms. Babcock, you're going to do the honors?

7 MS. CHIN-CAPLAN: Special Master?

8 SPECIAL MASTER HASTINGS: Yes.

9 MS. CHIN-CAPLAN: Before Ms. Babcock starts
10 questioning Dr. Bustin I'd like to make a motion to
11 preclude the government from utilizing the reports of
12 Dr. Bustin that were performed in preparation for the
13 U.K. litigation.

14 They're untimely, and as a result of their
15 untimeliness I have been severely hampered in my
16 ability to cross-examine Dr. Bustin, and I have not
17 been able to confer with my expert regarding the
18 highly technical nature of this matter.

19 SPECIAL MASTER HASTINGS: Well, that's the
20 same motion you made at our status conference on
21 Friday, June 8, isn't it?

22 MS. CHIN-CAPLAN: It's virtually the same.
23 It's just that now I've had the opportunity to review
24 these reports and find that they are indeed highly
25 technical and would require the input of somebody who

1931

1 is also skilled as a molecular biologist to assist me
2 in any preparation of this material.

3 MR. MATANOSKI: Your Honor, if I may
4 briefly?

5 SPECIAL MASTER HASTINGS: Is that all you
6 wanted to add?

7 MS. CHIN-CAPLAN: Yes.

8 SPECIAL MASTER HASTINGS: All right. Mr.
9 Matanoski?

10 MR. MATANOSKI: To clarify one point, when
11 Petitioners' counsel made the oral motion that you
12 decided on June 8, she had the copy of the report with
13 her at the time.

14 The other thing that's come to light since
15 then is that one of the testifying experts for the
16 Petitioners, Dr. Ronald Kennedy, had not only
17 knowledge of the Unigenetics procedures, but in fact
18 discussed the Unigenetics procedures with the
19 personnel from that lab by his testimony in late 2001
20 or 2002, so he had access to information about
21 Unigenetics far earlier than any of this came to light
22 for the government.

23 SPECIAL MASTER HASTINGS: All right. Well,
24 I believe that I stayed at the office until 9 p.m. on
25 Friday, June 8, to do a written ruling on your motion,

1932A

1 which I thought about and discussed with my
2 colleagues. That written ruling is filed into the
3 record.

4 As I said, I'm sure I articulate it better
5 in my written ruling than I can here, but I have
6 sympathy to the arguments raised by the Petitioners
7 that these were being brought into the proceedings at
8 a late time. My ruling was that I would allow the two
9 reports in question of Dr. Bustin to be discussed at
10 this hearing, which now I'm going to stick to that
11 ruling.

12 I also noted though that to the extent the
13 Petitioners' counsel was prejudiced for lack of
14 opportunity to adequately study and respond to those
15 reports at this hearing, if at the close of the
16 hearing they felt that there was prejudice to their
17 case arising out of the lateness of the reports I
18 would hear a motion if they wanted to file additional
19 evidence in response at a later time. I would be
20 amenable to such a request.

21 I'm going to stick by that written ruling,
22 which I filed into the record on June 8. We can
23 discuss those reports. I will decide at a later date
24 whether to give those reports any weight and entertain
25 any efforts by the Petitioners to respond to those

BUSTIN - DIRECT

1 reports.

2 With that, Ms. Babcock, if you wanted to
3 step up here for the examination of Dr. Bustin?

4 Dr. Bustin, I'm going to ask you to raise
5 your right hand for me.

6 Whereupon,

7 STEPHEN A. BUSTIN

8 having been duly sworn, was called as a
9 witness and was examined and testified as follows:

10 SPECIAL MASTER HASTINGS: All right. Go
11 ahead, Ms. Babcock.

12 DIRECT EXAMINATION

13 BY MS. BABCOCK:

14 Q Could you please state your name for the
15 record?

16 A My name is Stephen Bustin.

17 Q And what is your profession?

18 A I'm a molecular biologist.

19 Q And could you briefly describe your
20 collegiate and graduate education?

21 A I did my first degree, a B.A. in Genetics,
22 at Trinity College-Dublin and my Ph.D. in Molecular
23 Genetics also at Trinity College-Dublin.

24 I then did a postdoc at the Animal Virus
25 Research Institute in Pirbright on positive-strand RNA

1934A

BUSTIN - DIRECT

1 viruses, spent a few years at a biotechnology company
2 looking at basic molecular biology techniques and then
3 decided I wanted to get back into academic science and
4 joined the London Hospital Medical College as a senior
5 research fellow.

6 Over the next few years then I was promoted
7 to senior lecturer and reader until in 2004 -- 2003 or
8 2004; I can't remember -- I was awarded the chair of
9 Molecular Science at our institution.

10 Q And that institution is Barts and the
11 London?

12 A Yes. We are the medical school of Queen
13 Mary's, which is part of the University of London.

14 Q And do you also teach at that institution?

15 A I do, yes.

16 Q Do you teach undergrads? Medical students?

17 A We teach medical students, many postgraduate
18 students. I don't do an awful lot of teaching, but I
19 do teach.

20 Q Okay. Now, during your career have you
21 developed any particular expertise on laboratory
22 technique or practice?

23 A Yes. I think the one I'm most familiar with
24 is PCR, RT-PCR and real-time PCR. It's called RT-PCR.

25 Q And to be clear, PCR is a technique that you

BUSTIN - DIRECT

1 use in your every day research and practice?

2 A I do, yes.

3 Q And are you familiar with TaqMan PCR in
4 particular?

5 A Yes. We were one of the very first labs in
6 the U.K., academic labs in the U.K., to use TaqMan
7 PCR.

8 Q Now, have you published in the field of
9 molecular science and in particular concerning PCR?

10 A Yes. We publish continuously on PCR.

11 Q About how many times in the past five years
12 have your labs published articles on PCR?

13 A Well, in the peer reviewed literature we
14 have 14 articles, and then we've published eight or
15 nine book chapters, and of course in 2004 I wrote and
16 edited the A to Z of Quantitative PCR.

17 Q We'll get to that in a minute. Have you
18 also written papers that are considered authoritative
19 in the field of PCR?

20 A Yes. In 2000 I wrote a review of
21 quantitative RT-PCR, which has been cited in the peer
22 reviewed literature over 1,000 times.

23 Q One thousand? I'm sorry.

24 A One thousand times.

25 Q Okay.

1936A

BUSTIN - DIRECT

1 A The follow-up paper in 2002 is at the 500
2 citation level, and at the end of last year we
3 published a definitive protocol for real time RT-PCR
4 in Nature Protocols.

5 Q And what is Nature Protocols?

6 A Nature Protocols is one of the premiere
7 methods journals. Like any Nature journal, it is very
8 highly regarded.

9 Q And you mentioned earlier you had also
10 written a book entitled A to Z of PCR?

11 A Yes. Together with a colleague I wrote most
12 -- well, all -- of the technical bits, and then we had
13 some additional contributions from various labs around
14 the world for specific applications.

15 Q And how is that book viewed in the field of
16 molecular science?

17 A Well, some people refer to it as the Bible
18 of QPCR.

19 Q Okay. And you've written book chapters and
20 other publications on the topic of PCR particularly?

21 A Yes.

22 Q Do you also review scientific papers for
23 journals?

24 A I do all the time, yes.

25 Q Do you have learned society memberships?

1937A

BUSTIN - DIRECT

1 A Yes. I'm a fellow of the Royal Society of
2 Medicine.

3 Q Do you also play a major role in organizing
4 conferences or symposiums on an annual basis for PCR?

5 A Yes. I organized three national meetings in
6 London, and we had about 400 people attending, and I
7 am a co-organizer of the annual meeting in Germany.

8 In fact, next week I'm a co-ordinator and
9 organizer of a workshop at EMBO in Heidelberg, which
10 is on QPCR, and I'm an advisor to CHI on their annual
11 QPCR meeting here in the United States.

12 Q Just for the sake of the record, what is
13 CHI?

14 A Cambridge Health Institute. They organize
15 all kinds of scientific meetings.

16 Q Okay. And you speak frequently on the topic
17 of PCR?

18 A Yes. I travel around the world giving talks
19 on QPCR.

20 Q Okay. Now, what material from the Cedillo
21 case did you review in preparation for your testimony
22 today?

23 A Well, everything you provided me with, which
24 was Dr. Hepner's report, the parts of Dr. Kennedy's
25 report that were relevant to QPCR, the one-page

1938A

BUSTIN - DIRECT

1 Unigenetics report, and I've read the transcripts as
2 far as they're related to PCR.

3 Q Okay. Were you also able to review the
4 Walker abstract and the subsequent material presented
5 last week?

6 A Yes. I was able to do that, yes.

7 Q Okay. Now I'd like to start with having you
8 comment on Dr. Hepner's report and testimony.

9 Obviously she focuses on Dr. Uhlmann's 2002
10 paper. Just so it's clear, how does Uhlmann relate to
11 Professor O'Leary?

12 A Dr. Uhlmann was a postdoc in Professor
13 O'Leary's laboratory at the Coombe Hospital in Dublin.

14 Q So is the methodology that was used in
15 Uhlmann's 2002 paper the same methodology that would
16 have been used on the testing carried out in Michelle
17 Cedillo's samples at Unigenetics?

18 A It was, yes.

19 Q Now, Dr. Hepner goes into some depth on the
20 methods used for Uhlmann, starting with a discussion
21 of experimental controls and cross-contamination.

22 She stated in her paper last week that she
23 was relying only on what is contained in the paper
24 itself to make her conclusions and her testimony.

25 What is a positive control?

1939A

BUSTIN - DIRECT

1 A Well, a positive control is an essential
2 control that tells you whether your assay is working,
3 so what you would do is you would take the target that
4 you're interested in detecting and put it into a test
5 tube and use your assay to detect it.

6 If you don't detect it, you know there's a
7 problem with your assay because it's a positive
8 control. If you do detect it, you know your assay is
9 working. If you do this consistently each time, you
10 know how efficient your assay is from day to day.

11 The positive control is simply something
12 that tells you that your assay is okay.

13 Q And a negative control?

14 A A negative control is something very
15 crucial. There you leave out your target, so if you
16 don't detect it then that means that there's no
17 amplification, which is what you want.

18 If you do detect a positive in a negative
19 control then you know there's a problem with your
20 assay because it should not be there, and you always
21 get suspicious of any assay that gives you a positive
22 result in a negative control.

23 Q And what is template?

24 A Template refers to in this case the measles
25 virus target gene, for example, the F gene or the H

1939B

BUSTIN - DIRECT

1 gene

BUSTIN - DIRECT

1 that it might be amplifying. In this case, template
2 means the same as target or measles virus RNA.

3 Q Were no-template controls claimed to be in
4 use in the Uhlmann paper?

5 A Yes. They have a list of controls in the
6 materials and methods that they list as having been
7 used. Yes.

8 Q And did Uhlmann provide the information
9 necessary to establish whether these controls were
10 working as expected?

11 A No. One of the surprising aspects of this
12 paper is they give you very little information about
13 how the assay was performed, about what the results
14 actually were, and it really does not let you evaluate
15 at all how reliable and consistent the results are.

16 SPECIAL MASTER HASTINGS: Now, Doctor, you
17 mentioned the no-template control, or you were asked
18 about that. That's a negative control?

19 THE WITNESS: Yes.

20 SPECIAL MASTER HASTINGS: Okay. Go ahead.

21 BY MS. BABCOCK:

22 Q Is there any discussion in Uhlmann about
23 contamination?

24 A No.

25 Q Is this important?

BUSTIN - DIRECT

1 A It is essential because obviously if you are
2 trying to detect a very low copy number target and
3 there is contamination around, and if you do not know
4 whether there's contamination around, then you can't
5 rely on your assay.

6 So it is crucial whenever you do any
7 biochemical assays, including PCR, that you have all
8 your controls lined up properly and report the results
9 of your controls. If you don't report the results of
10 controls, then you can't as a reader evaluate the
11 validity of your data. So controls are always
12 essential, and contamination controls in particular
13 are always essential.

14 Q So to distill the steps, you need the
15 positives to be positive, the negatives to be
16 negative?

17 A That's right. You always need the positives
18 to be positive and the negatives to be negative.

19 Q If the negatives test positive, there's a
20 problem and vice versa?

21 A Yes.

22 Q Okay. What's the difference between
23 conventional and TaqMan PCR?

24 A Conventional PCR and TaqMan PCR are very
25 closely related. Conventional PCR relies on two

1942A

BUSTIN - DIRECT

1 primers, two DNA oligonucleotides that define the
2 extent of the amplification product.

3 So for example, if you enter Washington
4 there's a sign that says Washington, D.C., and if you
5 leave Washington there may be a sign You Are Now
6 Leaving Washington, D.C. That's what primers do.
7 They delineate the beginning and the end of your
8 target, in this case measles virus RNA.

9 For conventional PCR you conduct 20, 30, 40
10 cycles of the polymerase chain reaction and run these
11 reactions out on gel as we've seen in various
12 presentations, and you look for bands on gels. The
13 band is either there or it's not there ideally.
14 Sometimes, as we've already seen, you're getting
15 smears.

16 However, all you need to know is that
17 there's a band. You don't know what that band is, so
18 you have to do additional techniques to confirm the
19 identity of that band because it could be a spurious
20 band so there's various ways of confirming that the
21 band you're seeing is your target.

22 The most -- the best way of doing this is
23 sequencing is getting a DNA sequence. However, of
24 course, that is all very always time consuming and
25 labor intensive, and it doesn't allow you to get a

1942B

BUSTIN - DIRECT

- 1 fast result and a reliable result, so real time PCR
- 2 improves on

1943A

BUSTIN - DIRECT

1 conventional PCR.

2 Now, the analogy I would use is that we've
3 got Washington, D.C., and we've got Washington,
4 England. If I'm talking about Washington you don't
5 know what I'm talking about, but if I say the White
6 House in Washington there is an additional, very
7 specific pointer towards the specificity of the
8 location we're talking about. If I say the White
9 House in Washington you know I'm not talking about the
10 Washington in the United Kingdom.

11 What TaqMan PCR does is it provides the
12 White House. It provides an additional probe that
13 would be very specific for the target that you're
14 amplifying, so the specificity that you get from your
15 primers which we have in conventional PCR is augmented
16 by the specificity of a probe that binds to the
17 amplified DNA only if the correct target is being
18 amplified. So really what TaqMan DNA does, it gives
19 you an additional level of confidence in the result
20 that you're obtaining when you do your PCR reaction.

21 Q Okay. So, in this instance, for the White
22 House, Uhlmann's White House was supposed to be
23 measles virus?

24 A That's right. In this case, the White
25 House, the probe would detect measles virus.

1944A

BUSTIN - DIRECT

1 Q Now can you have complete confidence that
2 measles virus is actually there when you test for it?

3 A Well, that's a difficult question to answer
4 because it's a complex question you've asked me, not a
5 simple question. The problem of course is that you're
6 still only looking at the appearance of fluorescence.
7 The actual way you're detecting your template is by
8 degradation of the probe as Dr. Ward explained this
9 morning. You're looking at fluorescence.

10 So in principle if you're detecting
11 hybridization of the probe, the White House, if you're
12 detecting the White House it should be measles virus,
13 but there are additional possibilities. The most
14 obvious one is that you detect a contaminant. It is
15 less likely that you're detecting irrelevant sequence
16 because the probe wouldn't bind to that, although it
17 could do that as well.

18 The contaminants are always a problem. In
19 addition, you could have problems with specificity,
20 with the actual assay itself, that they're are
21 artifacts. As you know science is, biology is not
22 always clear cut, and sometimes you'll just have
23 problems that appear, and so you might have a problem
24 with a contaminant and you might have a problem with
25 the actual assay itself that would give you a positive

1944B

BUSTIN - DIRECT

1 result.

1945A

BUSTIN - DIRECT

1 Q So in order to make sure that you're not
2 having these problems what do you need to do?

3 A Well, there's a lot of different things you
4 can do to look at the quality of a real-time PCR
5 assay. I can go into that at a later stage. The
6 obvious thing you would do when you design an assay is
7 you sequence the amplicon that's being generated
8 because if you find concordance between the sequence,
9 which should be measles virus, and the appearance of a
10 positive result when you Taq polymerase assay, then
11 you can be reasonably confident that you're amplifying
12 the right thing.

13 But if you don't sequence your target at
14 least when you're designing and validating and
15 optimizing the assay, you can never be certain that
16 what you're getting is the right sequence.

17 Q Now, did Uhlmann discuss how the RNA was
18 handled?

19 A No. As I think I said one of the things
20 about this paper is that it's fairly unique in my
21 experience, and it's given no information at all about
22 what actually was done. It actually tells you in
23 outline what they did, where they got their samples
24 from and that they prepared RNA, but it gives you no
25 information whatsoever about, for example, the quality

BUSTIN - DIRECT

1 of the RNA, the quantity of the RNA and how the
2 different RNAs were extracted from different samples
3 which they refer to.

4 Q Now, from a PCR perspective what's the
5 difference between fresh-frozen biopsies and a
6 formalin-fixed, paraffin-embedded biopsies? I think
7 it's FFPE.

8 A Right. Dr. Hepner used the term apples and
9 oranges as referred to something, and I would use the
10 term apples and oranges to refer to formalin-fixed and
11 fresh-frozen materials. Let me go through both and
12 explain it to you very carefully. Fresh-frozen as the
13 name implies is a biopsy that is taken say following
14 surgery or following a colonoscopy, and it is put into
15 liquid nitrogen and frozen, so it is a fresh piece of
16 tissue.

17 The quality of RNA that you get from that
18 kind of tissue as long as you extract the RNA
19 carefully and handle it carefully is usually very good
20 so that if you're looking for a very low copy number
21 target you will tend to detect it in a fresh-frozen
22 sample. We all love to work with fresh-frozen
23 material.

24 However, a lot of medical research is
25 carried out on sick people, for example, in my

1947A

BUSTIN - DIRECT

1 particular specialty, in cancer patients, and so every
2 hospital has banks of archival material that's been
3 stored for the last 100-200 years perhaps and has been
4 formalin-fixed and paraffin-embedded because this is
5 used by pathologists to, for example, in colorectal
6 cancer stage the cancer.

7 So this is a very unique source of material
8 for any medical research, so we like to also use that
9 kind of material. The problem is that formalin-
10 fixation and paraffin embedding destroys nucleic
11 acids. As Dr. Ward said this morning RNA is
12 particularly susceptible to degradation. So what
13 formalin-fixation does is it cross-links the RNA, it
14 degrades it and it basically makes it less available
15 to reverse transcription.

16 So if you have the formalin-fixed sample and
17 the fresh-frozen sample, and again, there's several
18 papers in the literature that suggest this, you should
19 never compare the results you're getting from those
20 two because they will be different.

21 Q Now, did -- I'm sorry.

22 A Sorry.

23 Q Did Uhlmann use both fresh-frozen and FFPE?

24 A Yes. Again, this brings me back to the lack
25 of information. According to the materials and

1948A

BUSTIN - DIRECT

1 methods they used both fresh-frozen and formalin-fixed
2 samples, but they don't tell us which samples were
3 fresh, which were frozen, whether the same percentage
4 fresh and frozen were used for controls and samples.

5 All of these things of course are crucial to
6 be able to evaluate the validity of the assay because
7 to take an example if he had used all fresh-frozen
8 samples for his cases and all formalin-fixed materials
9 with controls you would not be surprised if he never
10 detected his target in the controls because he's using
11 formalin-fixed material.

12 So in order to be able to evaluate the
13 reliability and validity of any data that you produce
14 if you're using mixed templates such as this you must
15 very clearly state what you're using, and he hasn't
16 done that.

17 Q And did he distinguish between the two in
18 reporting his results?

19 A Not in the paper, no.

20 Q Did you also identify a mismatch between the
21 measles virus sequences listed in the paper and the
22 probes?

23 A Yes. This is, again, well, it suggests that
24 there's a problem with the probe design. If you look
25 in his paper the paper actually lists the probe they

1949A

BUSTIN - DIRECT

1 used for the F-gene measles virus and lists the
2 sequences from Genbank, which is the database that
3 collects all nucleic acid sequences they use to design
4 the probe.

5 If you then go back and look up these
6 sequences then you'd find there's a single mismatch
7 between the consensus sequence of all of those
8 sequenced and the probe that they have used to
9 generate the TaqMan probe. Now, what this means is
10 that, again, as Dr. Ward explained this morning that
11 there's a lack of sequence identity in one base
12 between the probe they use and the actual measles
13 virus sequence.

14 Now, I suppose it's likely too much of an
15 analogy, but if you had a black house then you
16 wouldn't necessarily be in Washington. So you have a
17 mismatch in your probe then you can't be quite certain
18 that it's going to detect your measles virus
19 particularly if you're looking at very, very low copy
20 number targets.

21 So having a single mismatch is not fatal to
22 the assay, but it certainly raises questions about the
23 validity or the reliability of the assay that is being
24 produced for the F-gene target.

25 Q Now, what genes did Uhlmann target in his

1950A

BUSTIN - DIRECT

1 research for the 2002 paper?

2 A Well, again, it brings me back to this
3 problem of not knowing from the paper. He lists, at
4 least he lists -- two F-gene primers and, is it two,
5 yes and, two H-gene primers but doesn't distinguish
6 between the use of these primers in the TaqMan PCR, so
7 we just don't know which primers he has used for the
8 TaqMan PCR assay.

9 Q Okay. Did he also design primers for the N-
10 gene?

11 A Yes. He designed primers for all three
12 potential targets. Yes.

13 Q Okay. And I think you might have just said
14 this, but in the result section did he distinguish
15 between F, H or N?

16 A No. In the abstract he refers to having
17 targeted the F and the H-gene for TaqMan PCR, but in
18 the results section he doesn't distinguish what
19 results he got.

20 Q Now, regarding consistency and
21 reproducibility did Uhlmann provide any data regarding
22 amplification sensitivity or efficiency?

23 A No. I need to come back to what I've been
24 saying several times now. There's this lack of
25 information that doesn't allow you to evaluate this

1950B

BUSTIN - DIRECT

1 paper properly in terms of its validity. There's no

1951A

BUSTIN - DIRECT

1 mention whatsoever about any parameters that you would
2 be looking for as an independent or a dispassionate
3 disinterested reader to be able to evaluate whether
4 his results are correct or not.

5 He gives no information at all about any of
6 his parameters.

7 Q And did you identify any other items you
8 would like to have seen in order to evaluate his
9 findings?

10 A Well, one of the things I've been doing for
11 a long time is trying to get standardization into the
12 reporting of real-time PCR data. In fact, in my 2002
13 review I had a section at the end of the paper that
14 suggested certain parameters that need to be
15 consistent, and in the latest Nature of Protocols we
16 expanded on that.

17 When you do microarrays, which is another
18 biological technique, there are so-called minimum
19 information required to give you information that you
20 require to be able to evaluate a microarray
21 experiment. We're trying to do the same thing for
22 real-time PCR. So what I would look for today and
23 would have looked for in 2002 is at the very least the
24 amplification efficiency of the PCR should be known.

25 That's the first thing. I certainly would

Heritage Reporting Corporation
(202) 628-4888

1952A

BUSTIN - DIRECT

1 expect to have a statement that suggests that the
2 negative controls were negative and the positive
3 controls were positive. I might have wanted to see a
4 standard curve. Certainly, if I'm looking at
5 quantification I'd want to see a standard curve.

6 I would want to see certainly some
7 suggestion that the RNA they were using was of high-
8 quality or at least I would like to know what the
9 quality of the RNA was. And I'd like to certainly
10 have known which samples were obtained from fresh-
11 frozen material and which samples were obtained from
12 formalin-fixed material for the reasons I outlined.
13 It is crucial in order to be able to evaluate the
14 validity of this assay.

15 Q So these items should be included in a paper
16 discussing PCR?

17 A Yes. Nowadays you'd have even more rigorous
18 standards, but in those days as a minimum you would
19 have included those. Yes.

20 Q Now, Dr. Hepner -- I'm going to move on to
21 Walker. Actually, Dr. Hepner and Dr. Krigsman
22 discussed the abstract presented by Dr. Walker at an
23 autism research meeting, and again, there was some
24 additional information provided with us during the
25 hearing last week. Have you been able to review those

1952B

BUSTIN - DIRECT

1 materials?

1953A

BUSTIN - DIRECT

1 A I have. Yes.

2 Q And did you look at the PCR results listed
3 in the Walker paper on page 4?

4 A I did. Yes.

5 Q Keeping in mind that this is a poster
6 presented, it's an abstract presented at a conference,
7 nevertheless, if you were looking these PCR results
8 would you have any concerns?

9 A Yes. I would like to say first of all that
10 it is preliminary. I think Dr. Hepner, as again Dr.
11 Ward said this morning, made it very clear that she
12 would not come to any major conclusions from this
13 work. So bearing that in mind if I take us through
14 the slides then I will explain what my concerns would
15 be, although of course it is a poster.

16 Q We started with Slide 1. I believe now
17 we're going to switch to Slide 2.

18 A Right. Slide 1 is, actually, it's taken
19 straight from the poster. So that's correct, isn't
20 it? That's what it's supposed to look like. Now,
21 what I've done here is I've separated the two figures,
22 the left-hand figure and the right-hand figure, and
23 I'm going to give the first figure first. Now, what
24 we have on the left here is the size standard that
25 they have used according to the handout which is from

1954A

BUSTIN - DIRECT

1 Invitrogen and is a 100 base pair ladder.

2 What this means is that there are DNA

3 fragments here that differ by 100 base pairs each.

4 The other thing Invitrogen tells us is that the 600

5 base pair of fragments here runs abnormally, so you're

6 getting two, so it looks like a doublet. You can see

7 it's somewhat more intense. Can you see that? If you

8 look at the figure from the Hepner poster you can see

9 that it's a slightly more intense band here. Can you

10 see that?

11 This band here is slightly more intense than

12 all the others.

13 SPECIAL MASTER HASTINGS: Yes.

14 THE WITNESS: Yes? Okay. So that locates

15 the 600 base pair fragment here. Now, what she then

16 tells us is that if the PCR has worked okay you expect

17 to get a yield, a band of 726 base pairs. So we can

18 count quite easily 600, so a 700 base pair band is

19 just above the doublet, so it makes it very easy to

20 locate.

21 So if you look at the band just above the

22 doublet here and then go across here then albeit this

23 being a very poor representation we certainly can't

24 really see a band at that location.

25 //

1955A

BUSTIN - DIRECT

1 BY MS. BABCOCK:

2 Q So this is a reference to the height at
3 which a band should be evident?

4 A Yes. What we can see is in every well a
5 band at roughly 6-5-4, 320 base pairs because if you
6 count down from the 600 doublet 500, 400, 300, there
7 this band roughly co-migrates with this band. So the
8 first thing to note is that this gel produces a band
9 of 300 base pairs, which they don't refer to, and this
10 of course immediately tells you there's nonspecificity
11 there.

12 You're expecting something at 700, you're
13 getting something at 300, there's a problem. In
14 addition, you can see the very faint band here, again,
15 at about 400 probably and then up here at about 900.
16 So there are bands on this gel, but they are
17 nonspecific. Now, even more importantly I think or
18 just as importantly she refers to 12 patient samples.

19 If you count along here you've got one, two,
20 three, four, five, six, seven, eight, nine, 10, 11,
21 12. Now, as I tried to point out today I think
22 virtually every expert in this case has referred to
23 controls are essential. You always want controls of
24 your samples. There's no controls on this. So even
25 though this is a poster presentation at the very least

1956A

BUSTIN - DIRECT

1 there should be a negative control on there to show
2 that the PCR in the negative control hasn't worked.

3 We don't have that information. So this
4 immediately invalidates these results because we can't
5 now say whether these are genuine or not because
6 there's no negative control there. So that's a real
7 problem with this figure.

8 Q And let's move on to, this was the
9 conventional PCR, now we're moving on to nested PCR --

10 A Right. So this is the second picture in, or
11 second panel of Figure 4.

12 SPECIAL MASTER HASTINGS: Before we go on
13 here let's do housekeeping. Again, Dr. Bustin is
14 showing some slides here, and we have a paper copy of
15 those slides. Let's mark that as Respondent's Trial
16 Exhibit 13, and note that now you're turning to Slide
17 No. 4. Is that correct?

18 THE WITNESS: Three. No, this is Slide No.
19 3.

20 SPECIAL MASTER HASTINGS: Slide No. 3.
21 Okay. I see. It's slightly different. Now, you said
22 something here about did I hear you say Figure 4?

23 THE WITNESS: Yes. Figure 4 is the actual
24 Figure 4 from the poster.

25 SPECIAL MASTER HASTINGS: Okay. Figure 4

1957A

BUSTIN - DIRECT

1 from the poster is on the right on your Slide No. 3?

2 THE WITNESS: Yes.

3 SPECIAL MASTER HASTINGS: Okay. Go ahead.

4 THE WITNESS: This is the second panel from
5 the figure from the poster. I've loaded the --
6 someone loaded the size standard there for me again.
7 So, again, to locate the doublet here and the size
8 ladder here. Now, according to the legend again we've
9 got 12 samples loaded, and we're expecting a nested
10 PCR or a PCR fragment at 407 base pairs. This is
11 present in Lanes 3, 4, 6 through 9 and 10.

12 Now, again, it is immediately obvious that
13 you've got very strong bands down here again
14 suggesting nonspecificity. In this case they're very
15 small bands, and they're most likely to be the primers
16 coming together and being amplified across each other.
17 We call that primer dimer. You may have heard that
18 term before in this Court. No?

19 MS. BABCOCK: No. I know primer dimer is a
20 bad thing?

21 THE WITNESS: I'll explain it in a second.

22 MS. BABCOCK: Okay.

23 THE WITNESS: What it basically means for
24 the purpose of what I'm discussing now is
25 nonspecificity. Now, we've got these smears, and we

1958A

BUSTIN - DIRECT

1 referred to these early on, and these are typical for
2 conventional PCRs, RT-PCRs, because you're getting
3 some nonspecificity and some specificity.

4 So certainly you would believe that we're
5 getting amplification products here, and probably
6 here, probably here as well, not so certain here or
7 here, but without doing further analysis here, for
8 example, a Southern blot, which has been discussed, or
9 DNA sequencing, you don't really know what this is.
10 And this is a nice example actually where real-time
11 PCR would be far better to use than conventional PCR,
12 but that's another matter.

13 Again, notice, and again, it's crucial here,
14 what we're talking about is nested PCR. Now, nested
15 PCR is where you take a PCR reaction and do a second
16 PCR reaction on top of the first one, so you basically
17 double, or triple, or quadruple your chances of
18 getting contamination. So it's even more essential to
19 have a negative control here.

20 Of course, if you look at this there's no
21 negative control here. So whatever these bands are
22 you can't tell because there's no controls. So as Dr.
23 Hepner says it's preliminary, but technically this is
24 a flaw because she should have had negative controls
25 on this gel.

1959A

BUSTIN - DIRECT

1 Q Now, Dr. Hepner mentioned during her
2 testimony that they were running 35 to 40 cycles. Is
3 this an appropriate amount given the type of PCR being
4 used?

5 A Well, I think for you to ask me whether it's
6 appropriate or not presumes that, you know, I have a
7 God given right to say what is appropriate or not. I
8 think it is high. I would certainly not be happy
9 using a nested PCR using a 35 cycle PCR and then
10 another 35 cycle PCR. In the olden days when we used
11 to do nested PCR we would have run 15 or 20 cycles
12 followed by 30, 35 cycles because then you minimize
13 the likelihood of contamination.

14 Q Unless these issues are resolved would you
15 have confidence at least in what's been presented from
16 the Walker lab?

17 A I can't have any confidence because there's
18 actually no results I can evaluate without referring
19 to a negative or a positive control, and these don't
20 give them to me. So I would have to ask to repeat the
21 assay with a negative control.

22 Q Is there any indication that this abstract
23 was ever published?

24 A I've never seen it published. This was the
25 first time I've seen this or heard about it.

1960A

BUSTIN - DIRECT

1 Q Okay. I wanted to talk briefly about
2 Michelle Cedillo's O'Leary lab, results in the O'Leary
3 lab.

4 A Right.

5 Q You mentioned earlier that you've reviewed
6 it. Look at Petitioners' Exhibit 28 at 179.

7 A That's Slide No. 4.

8 Q Now the report states that there were
9 1.67×10^5 copies of the measles virus per nanogram
10 RNA.

11 SPECIAL MASTER HASTINGS: Ms. Babcock, can
12 you give me a minute to get to this?

13 MS. BABCOCK: Absolutely.

14 SPECIAL MASTER HASTINGS: Okay. Exhibit 28?

15 MS. BABCOCK: At 179.

16 SPECIAL MASTER HASTINGS: All right.

17 MS. BABCOCK: It's up on the monitor as
18 well.

19 SPECIAL MASTER HASTINGS: Okay. Very good.
20 Thank you.

21 BY MS. BABCOCK:

22 Q Okay. 1.67×10^5 copies of the measles
23 virus per nanogram RNA present in the biopsy. Taken
24 at face value on a scale of low to high where does
25 this fall?

1960B

BUSTIN - DIRECT

- 1 A It seems a high number.
- 2 Q And what would the significance be?
- 3 A Well, it means that A) this is not an assay

1961A

BUSTIN - DIRECT

1 that is at its limits so this should be easily
2 detectible, and it also means that if you've got that
3 much measles virus in a gut sample it probably is in
4 other cells as well and you should be able to detect
5 it, for example, in blood.

6 Q Now what gene was tested for according to
7 the report?

8 A The F-gene.

9 Q Would it have been helpful if they had also
10 tested for N or H?

11 A Well, it is surprising because the Uhlmann
12 paper suggests that they have used at least two viral
13 genes, so yes, I think in order to have confidence in
14 your results you should have more than one target
15 particularly when you're looking at the virus, which
16 makes it quite easy.

17 A virus is a foreign object, so it shouldn't
18 be present in the body. So if you're going to detect
19 a virus you want to be certain it is there. So the
20 obvious thing to do is not to decide with one viral
21 gene, but two or more. So you would have probably
22 targeted the F-gene and the H-gene, for example, and
23 look for concordance between the results and assumed
24 that if you got both F and H-genes positive that you
25 really are detecting measles virus target.

1962A

BUSTIN - DIRECT

1 If only one of the two is positive then
2 you'd have severe doubts about your result. So from
3 that point of view I think one should have used two
4 markers, yes.

5 Q Was there any information included in the
6 report on assay repetition or controls?

7 A No. There's nothing. Again, no information
8 provided at all.

9 Q Was a blood sample submitted?

10 A It was submitted, yes.

11 Q Were the results of the blood testing
12 provided in this report?

13 A No.

14 Q Now, moving on to Unigenetics specifically,
15 as part of your prior work, have you had opportunities
16 to examine the testing methods used by Dr. O'Leary
17 while at Unigenetics?

18 A Yes. As part of the U.K. litigation, I was
19 given access to all of the raw data that underlies the
20 Uhlmann paper and all the other assays that were
21 carried out for the U.K. litigation.

22 Q Now, who approached you about this project?

23 A I was running my April 2003 Q-PCR meeting in
24 London, and it's hard to remember, but it must have
25 been attended by scientists working for

1963A

BUSTIN - DIRECT

1 GlaxoSmithKline because at the end of June, or a month
2 or so later, or a couple of months later I was
3 contacted by the solicitors for GSK who asked me
4 whether I had heard of the MMR trial, which I vaguely
5 had, but it wasn't any concern of mine, whether I had
6 been approached by anyone to give any opinion on any
7 of the results that had been underlying the results of
8 the analyzer test kit and whether I would be willing
9 to come and look at a paper that had been published in
10 2002, the Uhlmann paper, and some of the documentation
11 they had been given by Unigenetics and Professor
12 O'Leary as part of the disclosure for the litigation.

13 Q And what was your understanding of your task
14 in this project?

15 A Well, it was made clear to me by both the
16 solicitors and the barristers acting for the three
17 companies that my main overriding duty was to help the
18 Court, so I'm a Court witness. So this is overriding
19 the duty I would have to anyone employing or paying me
20 to do the work, and this was stressed to me again and
21 again, and that my opinions have to be independent and
22 that independence is measured by whether if I had been
23 given the instructions by the opposite side I would
24 come to the same conclusion.

25 And so I have seen and always have seen my

Heritage Reporting Corporation
(202) 628-4888

1964A

BUSTIN - DIRECT

1 involvement in this as simply someone coming along,
2 looking at the data and to the best of my ability
3 coming to a very fair and unbiased conclusion.

4 Q Now, to be clear was this the first time you
5 had offered an opinion for purposes of a legal
6 proceeding?

7 A Yes. I've never been involved in any legal
8 work before.

9 Q And is today the first time you've ever
10 testified?

11 A Yes.

12 Q Welcome. Now, you were granted physical
13 access to the Unigenetics laboratory?

14 A I was, yes.

15 Q When?

16 A In January 2004, and then again in May 2004.

17 Q And what did you do during your visits to
18 the actual laboratory?

19 A By the time of the first visit Unigenetics
20 had agreed to supply us with the raw data from the
21 life of the TaqMan assay. So one of my reasons for
22 going to the Unigenetics lab was I wanted to reanalyze
23 the data on the computer that was attached to the
24 actual instrument to see whether the analyses I was
25 doing at home on my computer would give identical

1964B

BUSTIN - DIRECT

1 results to

1965A

BUSTIN - DIRECT

1 the computer that was being used by Unigenetics so
2 then I could conclude that my analysis was equivalent.

3 That was my main reason for going. The
4 second reason for going was to actually look around
5 the lab to see how it was set up. The third reason
6 was to actually get as many of the files as possible.

7 Q Okay. To be clear you used their own
8 equipment as well as your own?

9 A That was the aim for the first visit.
10 However, a couple of days before we got there I think
11 either the ABI or the computer, I can't remember
12 which, broke down and couldn't be repaired and was
13 physically removed from the lab, so I didn't have
14 access to the computer that was connected to the ABI
15 instrument, but I did have access to another MacIntosh
16 that was in the lab.

17 So I was able to analyze some of the data on
18 another computer they also used for analysis.

19 Q Okay. And just as a note of explanation for
20 the non-PCR people, the ABI instrument is an
21 instrument used that's essential in?

22 A That is the TaqMan instruments that they
23 used and we used at the time.

24 Q But were you able to use their equipment on
25 the second visit?

1966A

BUSTIN - DIRECT

1 A On the second visit the computer was there
2 and I was able to analyze the data on both their
3 MacIntoshs, yes.

4 Q Okay. Now, when you say analyze data what
5 is the scope of what you did for this project?

6 A I did a lot of work for this. First of all,
7 like any instrument, if you, for example, if you're
8 looking at a website you see a very pretty website,
9 and it's all easy to understand. You click on
10 something, something happens. Underlying that website
11 is the HTML code, which is incredibly complicated, and
12 you and I normally don't see and wouldn't want to see.

13 So similarly, with any software there is the
14 pretty front end and the much more difficult back end.
15 An instrument of course, an instrument puts out raw
16 data that needs to be interpreted by the software and
17 then presented in a user-friendly form. So the data
18 that I'm showing you today, yes, all of those data are
19 the output from the instrument in its user-friendly
20 form.

21 That is why we wanted the access to be the
22 raw data files. What I was able to do is was to
23 analyze the raw data output from the instrument which
24 is something one normally never does because it is,
25 you know, incredibly time-consuming, there's lots and

1966B

BUSTIN - DIRECT

1 lots of data points per well, and it is a bit of a

1967A

BUSTIN - DIRECT

1 nightmare and it certainly is terribly time-consuming.

2 Q So it's fair to say you spent a substantial
3 amount of time on this project?

4 A A very substantial amount of time on this,
5 yes.

6 Q About how many hours?

7 A Approximately 1,500 hours.

8 Q Okay. I'm sorry, what was your hourly rate?
9 What were you paid?

10 A 150 pounds.

11 Q So 1,500 times 150 pounds is roughly the
12 nature of 200,000 pounds?

13 A 220,000 pounds. An awful lot of money, yes.

14 Q Okay. Now, did you also analyze samples
15 from a gentleman named Professor Cotter?

16 A Yes, I did.

17 Q We'll talk about this a bit more later, but
18 who is he and how is he related -- to the litigation?

19 A Sorry. Professor Cotter is the professor
20 for experimental hematology. He works in an institute
21 a couple of doors down the road from me. In fact we
22 work for the same institution. He's a PCR expert, he
23 does lots of routine PCRs. DNA-based PCRs mainly, but
24 also RT-PCRs. He has, he had the same instrument as
25 both Professor O'Leary and I had.

1968A

BUSTIN - DIRECT

1 Q In addition to the data what else were you
2 able to review?

3 A I looked up all the disclosed notebooks that
4 the solicitors were sent, I looked at all the witness
5 statements from all the workers at Unigenetics lab, I
6 looked at the expert witness reports from Professor
7 O'Leary and from Dr. Shiels, and all the expert
8 witnesses' reports as they related to PCR, I looked at
9 all the operator sheets that were produced, all the
10 experimental reports that were produced. I've
11 probably forgotten something. I looked at everything
12 that I --

13 Q The standard operating procedure?

14 A There was a standard operating procedure
15 that was disclosed with Dr. Shiels' testimony, yes.

16 Q And you put your conclusions and reports
17 that were filed with the litigation in the U.K.?

18 A I did, yes.

19 Q And these are the reports filed here as
20 well?

21 A Yes.

22 Q Now, your reports are very technical to say
23 the least, and we could certainly be here for hours
24 discussing it. I think for the sake of some brevity
25 we will attempt to just hit the highlights. As a

1969A

BUSTIN - DIRECT

1 manner of setting the table I will apologize if we ask
2 some slightly repetitious questions with PCR, but I
3 think that some of these questions need to be asked
4 here so we understand the context in which they're
5 important.

6 So with that said I wanted to start by
7 touching briefly on the standard operating procedure
8 or SOP. What is this, and why is it important?

9 A Well, a standard operating procedure is like
10 a recipe. If you boil an egg for three and a half
11 minutes you get a soft egg, if you boil it for five
12 minutes it's a hard egg, so if you want a soft egg you
13 have to boil it for three and a half minutes, and if
14 you don't do that you get a different end product.

15 Similarly, a standard operating procedure is
16 a recipe that allows an investigator to reliably
17 repeat any results he obtained last week, last month,
18 and within a year's time because what he does is lay
19 down very specifically how he obtains his samples, how
20 he obtains his RNA, how he goes about preparing his
21 RNA, how he quality assesses it. All of those things
22 are laid down in a standard operating procedure as the
23 name implies.

24 Q So it's a fairly detailed document?

25 A It tends to be fairly detailed, it depends

1969B

BUSTIN - DIRECT

1 from lab to lab, but yes.

1970A

BUSTIN - DIRECT

1 Certainly the Unigenetics one was actually fairly
2 detailed, yes.

3 Q Now, let's move on to the tissue collection
4 in preparation phase why is this important?

5 A Again, consistency. As Dr. Ward mentioned
6 this morning RNA is labile, so if you leave your
7 tissue out on a bench, for example, the RNA will
8 degrade. So it is essential that you have some
9 quality control program in place from the moment the
10 tissue leaves the body until it reaches your test tube
11 and you start extracting RNA.

12 So sample preparation is one of the
13 essential steps in a Q-PCR assay and needs to be
14 controlled properly particularly if you are using
15 different kinds of samples, for example, fresh-frozen
16 and formalin-fixed samples. You need to know which is
17 which.

18 Q Now, did Unigenetics use both fresh-frozen
19 and FFPE samples?

20 A They did. They used both.

21 Q What was the percentage between fresh-frozen
22 and FFPE?

23 A Okay. What is really interesting about the
24 analysis that I did was that because I looked at so
25 many data points and so many different samples there

1971A

BUSTIN - DIRECT

1 are lots of internal controls provided by the work
2 that Unigenetics' Professor O'Leary did that gives me
3 confidence in my analysis because I've got controls
4 provided by O'Leary himself.

5 Therefore, I don't have to refer to the
6 outside literature to be able to come up with
7 conclusions. Now, if you look up the difference in
8 formalin-fixed and fresh-frozen materials as I said to
9 you already one is much better quality than the other.
10 So what you would want to show is that if you extract
11 RNA from one sample you get the certain amount of RNA,
12 and if you take the RNA from a formalin-fixed sample
13 you get less RNA.

14 This is in the literature, but this is what
15 Professor O'Leary's own results show. So what you've
16 got here on the left is samples extracted from fresh
17 material.

18 Q Slide 5.

19 A Slide 5. Sorry. Yes. And tested for a
20 reference gene. Now, the purpose of this reference
21 gene is to show that there's amplifiable RNA in your
22 sample. That's all that does here. As you can see
23 you get an average Ct, threshold cycle, which I'll
24 explain in a second, but an average amount of roughly
25 25 Cts here. Now, the formalin-fixed material as you

1971B

BUSTIN - DIRECT

1 can

1972A

BUSTIN - DIRECT

1 clearly see is shifted upwards, i.e., the average
2 amount of GAPDH target is significantly less.

3 In fact, it shifted by eight or nine Cts
4 here or is about 200 to 300 fold. There's 200 to 300
5 fold less apparent target in the formalin-fixed
6 sample, and this is because the RNA has been scrambled
7 up and is unavailable for the reverse transcription,
8 okay? So importantly these are Professor O'Leary's
9 own control experiments.

10 So if he takes his reference gene and
11 amplifies from fresh material he gets this, if he
12 amplifies from formalin-fixed material he gets that,
13 as expected from the literature.

14 Q Now, did you see other findings by comparing
15 FFPE and fresh-frozen materials? Slide 6.

16 A Well, this is rather interesting. Yes, I
17 did. The next slide is Slide 6. So just for
18 comparison again these are the controls here showing
19 the expected shift from the literature in the
20 formalin-fixed samples. Now, this is what is supposed
21 to be the virus F-gene, and if you now look at the
22 average amount of F-gene target in your positive
23 frozen and your formalin-fixed samples there's no
24 difference.

25 Now, Professor O'Leary's own controls tell

1973

BUSTIN - DIRECT

1 us that this should have been shifted upwards because
2 this is much poorer quality RNA. The evidence from
3 his own data is completely clear. There's no such
4 shift. This must mean that whatever this is is a
5 contaminant that has been introduced after the sample
6 has been formalin-fixed.

7 So by definition this cannot be part of the
8 original biopsy because if it had been it will have
9 shifted upwards.

10 Q Now, moving on to the RNA extraction phase,
11 why is this important?

12 A The RNA extraction phase, why is it
13 important? RNA extraction is important because the
14 quality of the RNA determines the kind of result
15 you're getting as you can see very clearly here. Poor
16 quality RNA, low copy number, high-quality RNA, higher
17 copy number. So it is essential A) that you have a
18 standard operating procedure; and B) that you follow
19 it to the letter.

20 Q Did Unigenetics check for quality of RNA?

21 SPECIAL MASTER HASTINGS: Did it check for
22 what?

23 MS. BABCOCK: The quality of RNA.

24 SPECIAL MASTER HASTINGS: Quality of RNA.

25 Okay.

1974A

BUSTIN - DIRECT

1 THE WITNESS: Well, first of all they had
2 different, they used different procedures from the SOP
3 for their RNA extractions, but that's another matter,
4 and they used two different ways to check for their
5 quality of the RNA, one of which is unacceptable and
6 the other doesn't give you terribly much information,
7 but it's very useful for me from the point of
8 analyzing the data.

9 They used a method which looks at the ratio
10 of two optical densities, which gives you the
11 presence, which identifies the presence of
12 contaminants in your RNA sample, but they did that for
13 very few samples. Most samples were not what we would
14 understand as quality controlled. What they did do is
15 they used GAPDH, and in the SOP what they say is that
16 we make an RNA preparation and we will use a reference
17 cellular gene to look for the presence of RNA.

18 If we can't detect that RNA then the RNA is
19 no good because obviously if your target cellular gene
20 can't be detected there has to be something wrong with
21 the RNA prep which is completely correct. Are you
22 following me?

23 SPECIAL MASTER HASTINGS: Why don't you say
24 that one again?

25 THE WITNESS: If you make an RNA preparation

Heritage Reporting Corporation
(202) 628-4888

1975A

BUSTIN - DIRECT

1 and it is of good quality then you expect to detect
2 RNA in that sample.

3 SPECIAL MASTER HASTINGS: All right.

4 THE WITNESS: So if you have a reference
5 gene in that sample that is a cellular reference gene
6 you should detect it if the RNA is of good quality.
7 If you don't detect it there's something wrong with
8 the RNA. As Professor O'Leary's SOP states, if we
9 can't detect the GAPDH we shouldn't use the sample for
10 analysis, which makes perfect sense.

11 Now, it happens that Professor O'Leary did
12 use those samples for his analysis, and that's why I
13 was able to then identify what the contaminant is.
14 Well this has helped me identify what the contaminant
15 is. This is the next slide.

16 BY MS. BABCOCK:

17 Q Okay. Well, I wanted to move on to the
18 reverse transcription phase, and talk about it a bit
19 in general first. Sorry. It got put up a bit early.
20 What is the purpose of reverse transcription?

21 A Okay. Reverse transcription. We have been
22 talking about real-time PCR all this time, but I think
23 it is important perhaps at this point to say that the
24 real-time PCR really only refers to the DNA
25 amplification side of things. In order to be able to

1975B

BUSTIN - DIRECT

- 1 amplify an RNA molecule you have to convert the RNA to
- 2 DNA. I think that's been said several times over.

1976A

BUSTIN - DIRECT

1 Now, this is crucial here because RNA virus,
2 because measles virus does not exist as a DNA molecule
3 in nature, so you must identify the RNA. If you ever
4 identify DNA then it has to be a contaminant. This is
5 a very crucial point. Because measles virus does not
6 exist as a DNA molecule you can't detect DNA. If you
7 do it's a contaminant.

8 Q So you have to use the RT step to amplify?

9 A So you must use an RT step to detect the
10 measles virus RNA. If you detect a target that is
11 apparently measles virus in the absence of an RT step
12 by definition it can't be measles virus because it has
13 to be DNA. It's a very simple concept. At least it
14 is to me. It's not to everyone else.

15 Q Perhaps not so much the rest of us, so be
16 patient.

17 A So this is why we're talking about reverse
18 transcription PCR, okay? So, again, the standard
19 operating procedure of Unigenetics requests the use of
20 specific primers. In a reverse transcription step
21 there's a combined RT-PCR step. So to make life
22 simpler they have used a combined RT-PCR step. You
23 can do it different ways. It is okay to do it that
24 way, not ideal, but it's okay to do it that way, the
25 crucial point being you must have an RT step.

1977A

BUSTIN - DIRECT

1 Q Now, are temperature and time important?

2 A I'm sure as you have gathered by now timing
3 and temperature are crucial for PCR because you're
4 relying on small bits of DNA binding to other bits of
5 DNA in a vast gamish of other molecules, and in order
6 to find their partners or their targets they have to
7 be at the right temperature.

8 If the temperature is too low you get
9 nonspecificity, if the temperature is too high you
10 don't get any binding at all, if you give them too
11 long they will, again, be nonspecific, if you don't
12 give them enough time they won't, again, bind and be
13 less efficient.

14 So it is crucial that once you have got your
15 primers you optimize your assay and then stick by that
16 assay in terms of temperature and in terms of time.

17 Q Now, what was happening in the Unigenetics
18 lab with respect to time and temperature?

19 A There was quite considerable variation
20 between runs in both the temperature of the reverse
21 transcription and the time they reverse transcribed
22 for.

23 Q And just can you clarify what a run is?

24 A A run. A run is a single assay which
25 involves a 96 well plate, and it typically involves 90

1977B

BUSTIN - DIRECT

1 odd samples they have been looking at. A Q-PCR assay

1978A

BUSTIN - DIRECT

1 we call a run because it's called a run. I don't know
2 why we call it that.

3 Q Now, were there instances where they forgot
4 to use the RT step?

5 A Well, again, as I said in a run my results
6 are -- we've missed that one slide, haven't we?

7 Q That was probably the questioner error.
8 Forgive me.

9 A Can we go back to the previous slide,
10 please, Slide 7?

11 Q You'd like to briefly discuss Slide 7?

12 A Yes. Is it okay to go back to that?

13 Q Of course.

14 A Let me remind you we were talking about
15 GAPDH and how GAPDH in formalin-fixed samples was much
16 higher, the Cts were much higher in the formalin-fixed
17 samples as compared to the fresh-frozen whereas the F-
18 gene was no different. Then I mentioned to you that
19 the GAPDH can be used as a control to look for the
20 presence of RNA in a test tube. In the absence of
21 GAPDH there's clearly no RNA present, so we have to
22 discard it.

23 But Unigenetics still continues to use that.
24 This gave me a handle on things to analyze F-gene
25 results from RNA that were completely degraded, okay?

1978B

BUSTIN - DIRECT

1 This is what this shows. So on the right here we have

1979A

BUSTIN - DIRECT

1 a lot samples where the GAPDH result was positive,
2 i.e., there was RNA there, and the F-gene results give
3 you a Ct of just below 35 here.

4 Now, these are from samples that should have
5 been discarded according to the SOP from Unigenetics
6 because there was no GAPDH present, i.e., the RNA is
7 degraded. But if you look at the Cts for the F-gene
8 which they reported as positive you can see they're
9 the same. Now, if this is degraded RNA yet I'm
10 getting the same Cts for my F-gene target this can't
11 be RNA because it would have been degraded.

12 That's what the GAPDH showed me. Now, if it
13 isn't RNA it has to be DNA. If it is DNA it can't be
14 measles virus it has to be a contaminant.

15 Q All right. Now we'll skip back to where we
16 were before.

17 SPECIAL MASTER HASTINGS: So that was Slide
18 7.

19 MS. BABCOCK: Yes. Now we're on Slide 8.

20 SPECIAL MASTER HASTINGS: Now we're going
21 back to eight.

22 THE WITNESS: Now we're going toward Slide
23 8. So at this stage I had an inkling that I was
24 looking at contamination, and I had evidence that it
25 was actually DNA that was the contaminant.

1980A

BUSTIN - DIRECT

1 Now, again, fortuitously, and again, I
2 stress this is their own data so I do not need to
3 interpret it, I just look at the run and can tell from
4 the run from their own information, fortuitously, and
5 we all make mistakes, in two runs they actually forgot
6 to include --

7 SPECIAL MASTER HASTINGS: Just a moment. In
8 the audience, please. Sir, no talking while the
9 witness is talking, please. Thank you.

10 Would you ask the last question again, Ms.
11 Babcock?

12 BY MS. BABCOCK:

13 Q You were discussing when the RT steps have
14 been forgotten and then your investigation of
15 contamination.

16 A Now, if you remember I had an inkling that
17 it was DNA that I was looking at so it was a
18 contaminant. So the next set of data I'll show you
19 again are Unigenetics' Professor O'Leary's data. Did
20 not require interpretation. I just analyzed them on
21 the instrument without any further input on my part.
22 What I immediately observed was that they had
23 forgotten to do the RT step for those two runs.

24 Now, we all make mistakes so, you know, that
25 just happens, but it was very, very informative for me

1981A

BUSTIN - DIRECT

1 because as I tried to explain earlier on in the
2 absence of an RT step you cannot efficiently get a
3 result for an RNA molecule, RNA virus. This is very
4 nicely illustrated here. The run of April 11, which
5 is this one here, was done in the presence of, this is
6 the F-gene --

7 SPECIAL MASTER HASTINGS: The lower left is
8 the April 11 run?

9 THE WITNESS: Yes.

10 SPECIAL MASTER HASTINGS: Okay.

11 THE WITNESS: On Slide 8 the lower band or
12 the lower standard curve, this is what it is, is done
13 in the presence of reverse transcription. You can see
14 for different amounts of target you're getting certain
15 Cts, and this is what a standard curve looks like.
16 Now, as you can see on June 19 you're getting a
17 dramatic shift upwards of the F-gene standard.

18 Now, this is an RNA molecule that they used
19 to generate the standard curve. So what this tells us
20 is, again, it's their internal control, which is so
21 nice because I don't need to interpret here, their
22 internal control in the absence of an RT step
23 dramatically shifts upward exactly as you would expect
24 because you haven't got a reverse transcription step
25 there.

1981B

BUSTIN - DIRECT

1 All you're doing is a PCR. The PCR enzyme
2 can inefficiently -- it does do some polymerization of

1982

BUSTIN - DIRECT

1 RNA, but it's very inefficient, and that's why you're
2 getting this dramatic upward shift which is 200 fold
3 plus. It depends on how you do it, but you get a
4 roughly 200 fold decrease in the apparent amount of
5 RNA for their control.

6 So, again, as before with the GAPDH the
7 control they use here or the control that I have used
8 tells me exactly what I expect to see. If I use F-
9 gene RNA for the standard I get an increase in the Ct
10 because there's no reverse transcription. This is
11 what happens with RNA. So we go now on to Slide 9.
12 Again, fortuitously on this run where they forgot to
13 add the RT step there were four lead cases from the
14 U.K.

15 Now, if you look and compare the F-gene Cts
16 for the four lead cases to the F-gene Cts from the
17 majority of the samples where they did the reverse
18 transcription step there is no difference. The Cts
19 are roughly the same. If this had been RNA this
20 should have been shifted upward beyond the 40, which
21 is by definition the absence of any target source.

22 You wouldn't have detected it. So in the
23 absence of an RNA RT-PCR step, an RT step, they are
24 detecting roughly the same amount of F-gene target as
25 in the presence of reverse transcription. So by

1983A

BUSTIN - DIRECT

1 definition this cannot be RNA, it has to be DNA. So
2 we have two independent lines of evidence that show
3 very clearly that the target that is being amplified
4 it is F-gene, but it's F-gene derived from DNA, but
5 because measles virus doesn't exist as a DNA molecule
6 it can't be derived from measles virus RNA.

7 BY MS. BABCOCK:

8 Q Now, moving on to the actual PCR step for
9 accurate results do you need to validate the primers
10 and probes?

11 A Books have been written on how to validate
12 primers and probes, and, yes, this is one of the most
13 important aspects of any RT-PCR assay.

14 Q Now Dr. Ward talked about this a little bit
15 this morning, but can you just briefly summarize what
16 primers and probes are and why this is important?

17 A As I tried to explain earlier on, primers
18 delineate the extent of the amplification that you
19 get. It is the equivalent of the sign saying
20 Washington, D.C., welcome to and you're now leaving
21 Washington, D.C. That's what the primers do. They
22 signify, delineate your target.

23 Now the important points about these primers
24 are obviously that they recognize their target, in
25 this case a virus RNA sequence, so you want to be

1984A

BUSTIN - DIRECT

1 certain that you've got the right DNA sequence to
2 amplify your target.

3 You want to also be certain that you get as
4 few primer dimers as possible. What this means is if
5 you imagine you've got two primers, roughly 20, 22
6 base pair individual nucleotides long, if they're
7 similar to each other you'd imagine they could bind
8 and stick together and then it gets amplification of
9 those primers.

10 Because there's two primers you call them
11 primer dimers.

12 SPECIAL MASTER HASTINGS: I saw that term in
13 your report. Primer dimers, D-I-M-E-R-S.

14 THE WITNESS: Dimer, D-I-M-E-R.

15 SPECIAL MASTER HASTINGS: All right. Go
16 ahead.

17 THE WITNESS: The effect it has is it
18 reduces the efficiency of the assay because obviously
19 the polymerase spends time amplifying a nonspecific
20 primer dimer. If you have an assay that is less than
21 robust you then can tip the assay altogether into
22 making it very unreliable, so you always aim to have
23 as few primer dimers as possible.

24 BY MS. BABCOCK:

25 Q Now, had Unigenetics designed primers and

Heritage Reporting Corporation
(202) 628-4888

1985A

BUSTIN - DIRECT

1 probes for F, H and N-genes?

2 A Yes. Well, the ones I looked at, well, I
3 can't remember the N to be honest with you, but I
4 remember they designed primers for F and H-genes, yes.

5 Q And did you see instances where one gene
6 would test positive and another gene would test
7 negative?

8 A Yes. It was interesting. They did attempt
9 to optimize the assays for both the F-gene and the H-
10 gene. The obvious reason for doing this was to get
11 concordance between the different results. So when
12 they got a positive F-gene they would have got a
13 positive H-gene result, or a negative F-gene, negative
14 H-gene. What in fact they got was they found the H-
15 gene assay was much more sensitive on their
16 standards -- the H-gene assay was more sensitive than
17 the F-gene assay.

18 But then there are instances according to
19 the lab book where the F-gene gives them a positive
20 result and the H-gene gives them a negative result.
21 They comment on this in the lab book and say the H-
22 gene isn't concordant. They then looked at the
23 sensitivity and found that the H-gene was more
24 sensitive.

25 So the obvious conclusion is that there's a

1986A

BUSTIN - DIRECT

1 problem with the F-gene assay, and they should
2 redesign the F-gene assay because we're looking for
3 concordance. What they in fact did was they ignored
4 the H-gene results and went with the F-gene results.
5 That would give them positive results.

6 Q Okay. So in the instance that you would
7 have a positive F and a negative H Unigenetics'
8 practice was to?

9 A There's only a few runs where that happened
10 because they then gave up on the H-gene, but there are
11 instances where the H was negative, the F was positive
12 and they went with the F-gene.

13 Q So they reported the F-gene as positive?

14 A Yes.

15 Q They reported the test result as positive?

16 A Yes.

17 Q Now, as we discussed in Uhlmann and
18 regarding the Walker paper you also establish
19 controls? Do you also establish controls?

20 A Do you?

21 Q No. The person running the lab.

22 A Do they establish controls? Yes. Oh, yes.

23 Q Yes. As a general part of part of the PCR
24 step. I apologize.

25 A I was lost for a second. Yes, of course,

1987A

BUSTIN - DIRECT

1 and they did run controls of every assay.

2 Q Okay. And what controls are necessary?

3 Again, we've touched on this already.

4 A Okay. We mentioned the positive control,
5 and the positive control in this case tends to be the
6 standard curve which is a nice positive control
7 because the standard curve is generated by taking the
8 target F, or H, or whatever gene you're trying to
9 amplify and cloning it into a DNA plasmid.

10 You put that into a bug and make lots, and
11 lots of copies of that so you've got a DNA molecule
12 that contains your target. You then make an RNA copy
13 of your target from the DNA and destroy the DNA so
14 you're left with the RNA only. Now you've got an RNA
15 molecule that because you know the size of the insert
16 that you're looking at and the amount of DNA you've
17 got you can calculate the copy numbers of your target
18 gene.

19 If you then do serial dilutions, and this is
20 a standard biochemical practice, you generate a
21 standard curve. If you do this properly you get a
22 very nice linear response, which were these straight
23 lines you saw in, for example, here.

24 Q Slide 10.

25 A Sorry?

BUSTIN - DIRECT

1 Q Slide 10.

2 A Thank you. What you have here is a standard
3 curve for one of the F-genes, and you can see you've
4 got tenfold dilutions here. Now, this is what you
5 always use to quantitate your copy numbers, and this
6 is how, for example, we've come and got the copy
7 number in Michelle's F-gene result. Now, standard or
8 best practice of course is to have the standard curve
9 span the range of the unknown samples you're going to
10 quantitate because bear in mind the standard curve is
11 produced using optimal conditions.

12 You have a very clean RNA that you dilute
13 into water, and so that all you've got present in that
14 test tube is your target. So obviously under those
15 conditions if you do it properly you will get a very
16 sensitive and very linear response across a wide
17 range. Your target of course is present and there's
18 lots of other competing molecules, so it will not be
19 as clean and you may expect a different result if
20 you're quantitating from the target that is present or
21 contaminated with cellular RNA.

22 So at the very least you must make sure that
23 the linear range of the assay includes all of the
24 possible concentrations of your unknown samples. Do I
25 make myself clear? Yeah. Sorry.

1989A

BUSTIN - DIRECT

1 BY MS. BABCOCK:

2 Q Let me be sure of that. So basically
3 ideally we see the red dots on the charts. The red
4 dots should be within the black dots?

5 A Yes. They should extend through the whole
6 curve. Now, this is a typical Unigenetics result, and
7 as you can see in red you've got all the unknown
8 samples, and they're all way below the linear range of
9 your standard curve.

10 SPECIAL MASTER HASTINGS: Go back over that
11 last part. I didn't understand.

12 THE WITNESS: Okay. The standard curve is
13 generated by taking tenfold serial dilutions of your
14 control. So this would be the highest concentration
15 and tenfold serial dilutions. Now what you should --
16 okay.

17 SPECIAL MASTER HASTINGS: No, please go back
18 again. Each time you turn around I'm missing some
19 words.

20 THE WITNESS: Okay. I'm sorry. What you've
21 got here is the highest concentration of your
22 standard.

23 SPECIAL MASTER HASTINGS: The highest
24 concentration. Highest concentration of what?

25 SPECIAL MASTER VOWELL: The standard.

1989B

BUSTIN - DIRECT

1

THE WITNESS: The standard. The copy

1990

BUSTIN - DIRECT

1 numbers of your target. Now, this is a standard for
2 F-gene.

3 SPECIAL MASTER HASTINGS: A standard curve?

4 THE WITNESS: A standard curve for the F-
5 gene.

6 SPECIAL MASTER HASTINGS: All right.

7 THE WITNESS: You generate that standard
8 curve by taking a high amount of F-gene RNA and
9 running it through a PCR assay and you get a certain
10 Ct. In this case you're getting a Ct of 15.

11 SPECIAL MASTER HASTINGS: In what case? I'm
12 not sure in what case you're getting it.

13 THE WITNESS: The first dot.

14 SPECIAL MASTER HASTINGS: I see. Okay.

15 THE WITNESS: The first dot on the right-
16 hand side.

17 SPECIAL MASTER HASTINGS: All right.

18 THE WITNESS: The second dot is a 110
19 dilution of this, and you can see the standard is
20 roughly at 18 Cts. Can you see that?

21 SPECIAL MASTER HASTINGS: I see that.

22 THE WITNESS: The next one is another
23 tenfold dilution and is roughly at 21 Cts.

24 SPECIAL MASTER HASTINGS: All right.

25 THE WITNESS: The following one is another

1991A

BUSTIN - DIRECT

1 tenfold dilution at roughly 25 Cts.

2 SPECIAL MASTER HASTINGS: Right.

3 THE WITNESS: And the last black dot is
4 roughly at 28 Cts or 29 Cts. So this constitutes your
5 standard curve. What in this case they have done is
6 they have extrapolated the blue line to quantitate all
7 the red dots, which are all the unknowns.

8 SPECIAL MASTER HASTINGS: The red is not a
9 standard curve?

10 THE WITNESS: The red dots are actually
11 samples.

12 SPECIAL MASTER HASTINGS: The red dots are
13 samples.

14 THE WITNESS: The red dots are the samples.
15 What they should have done is all of the black dots
16 should surround the red dots because, otherwise, you
17 don't know whether the last red dot is really in the
18 linear range of the assay.

19 SPECIAL MASTER VOWELL: I think I have it,
20 Doctor. Let me try this. You've got the quantity
21 down at the bottom --

22 THE WITNESS: I'm sorry?

23 SPECIAL MASTER VOWELL: -- and each time
24 you're moving to the left on your slide, you are
25 diluting the quantity even more.

1992

BUSTIN - DIRECT

1 THE WITNESS: That's correct.

2 SPECIAL MASTER VOWELL: On the left-hand
3 side of your slide, you've got "CT." So these are the
4 number of cycles that you are running this sample
5 through the machine to amplify it.

6 THE WITNESS: In principle. That's when you
7 detect that sample, yes.

8 SPECIAL MASTER VOWELL: And what you are
9 saying is that if you are using a known F curve, a
10 known sample of the F gene, you would have a point for
11 the highest concentration of the gene, and then it
12 would require more amplifications at a lower
13 concentration of the gene.

14 THE WITNESS: That's correct.

15 SPECIAL MASTER VOWELL: And that would
16 generate either a straight line or could conceivably
17 be a curve.

18 THE WITNESS: It should be a straight line.

19 SPECIAL MASTER VOWELL: It should be a
20 straight line. All right. What you have had happen
21 here is that when you plug unknowns into this curve,
22 and you run them through the number of cycles that are
23 demonstrated here, what you get is something that's
24 outside the limits of the F gene standard curve.

25 THE WITNESS: That's correct.

1993

BUSTIN - DIRECT

1 SPECIAL MASTER VOWELL: So what you are
2 finding is probably not the F gene. Is that the
3 conclusion?

4 THE WITNESS: No. You can't quantitate it.

5 SPECIAL MASTER VOWELL: Okay.

6 THE WITNESS: It may well be the F gene, but
7 you can't put a number on it because the standard
8 doesn't go past that.

9 SPECIAL MASTER VOWELL: You're not saying
10 that it's not the F gene; you're saying that you don't
11 know what the quantity of F gene you have because you
12 don't get the results until you run it through more
13 cycles.

14 THE WITNESS: That's right.

15 SPECIAL MASTER VOWELL: So you have a known
16 quantity of the F gene that you are putting in, in the
17 black dot on the right-hand side of your chart, and
18 you have another known quantity that's the black dot
19 that is in the center of the chart.

20 THE WITNESS: That's right.

21 SPECIAL MASTER VOWELL: But you can't tell,
22 because none of the red dots are between the black
23 dots, how much gene is present.

24 THE WITNESS: You've got it.

25 SPECIAL MASTER VOWELL: Thanks.

Heritage Reporting Corporation
(202) 628-4888

1994

BUSTIN - DIRECT

1 BY MS. BABCOCK:

2 Q So what would be much better here, if all of
3 those red dots were farther down and to the right?

4 A Standard practice and best practice would
5 have been to have all of the black dots shifted to the
6 left so that they would all include the red dots. The
7 red dots should be in between the black dots. That's
8 what's accepted practice.

9 What this tells you is that they are unable
10 to amplify below roughly 20,000 copies. So this assay
11 is not terribly sensitive on this day. Now, this is a
12 typical result from Unigenetics.

13 Q We have another slide on that.

14 A The next slide actually expands on this a
15 little bit. I think maybe you'll understand it now.
16 In this case, they ran 45 cycles of the assay. So, by
17 definition, if something hasn't come up by 45 cycles,
18 it's not there.

19 So if you look at the very left-hand black
20 dot here, you can see it's at cycle 45. Can you see
21 that? So, by definition, even though they have put
22 some sample in there, and, in fact, they have got 200
23 copies of their target in there, the assay is not
24 picking it up.

25 So it isn't sensitive enough to pick up 200

1995

BUSTIN - DIRECT

1 copies in the standard, which, if you remember, is
2 optimal conditions.

3 You'll also notice that the second set of
4 black dots from the left are diverging. Now this is
5 typical because the less material you have, the less
6 accuracy you get. As, again, you can notice, there
7 are unknowns all coming up here, even though the
8 standard has not come up.

9 So this tells you that the assay is working
10 very poorly and that you can place no reliance
11 whatsoever on any quantification of any of these
12 samples. It is meaningless to quantitate these
13 samples. They should not be quantitated. You can say
14 they are there, or they are not there, but you can't
15 quantitate.

16 Q Now, was Unigenetics also using negative
17 controls?

18 A Unigenetics always use negative controls.

19 Q Were they getting proper results from those
20 controls?

21 A Approximately one-third of their runs had
22 positive results in the negative controls. So this
23 means that, in one-third of their runs, the negatives
24 were positive, suggesting there was some
25 contamination, and this is not just the negative

1996

BUSTIN - DIRECT

1 control that it contained no target and was set up to
2 contain no target.

3 But in at least one case, they had a
4 negative, what they call "environmental control,"
5 where they take a tube and leave it open on the desk,
6 and if there is DNA floating around, it might set in
7 the tube, and you amplify that. On at least one
8 occasion, that was positive.

9 So the presence of contamination in one-
10 third of your assays suggests they have a significant
11 contamination problem in your lab, as Dr. Ward
12 suggested this morning as well, and that is accepted.
13 It's nothing unusual; that's just what happens.

14 Q Now, is the quality and amount of RNA
15 important?

16 A Well, the quality of RNA is critical because
17 if you have poor quality RNA, you have much less
18 sensitivity than if you have good quality RNA.

19 The quantity of the RNA is also important
20 because if you know how much RNA you're putting into
21 your sample, you can then calculate copy numbers, and
22 you can make sure that you standardize your results
23 according to the amount of RNA you're adding.

24 So it is important to know how much RNA you
25 have and what the quality is, yes.

1997

BUSTIN - DIRECT

1 Q What was Unigenetics' practice with respect
2 to this?

3 A Variable. They were not consistent. In
4 terms of quality assessment, there was very little
5 quality assessment. What I've taken as quality
6 assessment, the GAPDH assay I've already shown you,
7 that, in a number of samples, they, by their own
8 criteria, which should have discarded the RNA because
9 the internal control didn't come up, but,
10 nevertheless, they continued with that sample.

11 So if you take the Uhlmann paper as an
12 example, they started off with 91 cases that they
13 refer to in the paper, and in their summary table of
14 the QPCR, they refer to 70 out of 91 patients that are
15 positive by RT-PCR. This is the Uhlmann paper on page
16 87, Table 2.

17 Now, of these 91, nine were degraded and
18 reported as degraded, 18 were not assessed for quality
19 at all, and eight were degraded but were,
20 nevertheless, reported as positive for the F gene.
21 Now, nine plus 19 plus eight really should not have
22 been reported at all, which leaves 55 samples out of
23 the 91, two samples. Of those, 35 came up positive
24 with the F gene test. It doesn't mean there was F
25 gene there, but it means there was an F gene target

1998

BUSTIN - DIRECT

1 that was amplified.

2 So what this means is that now that I was
3 able to start looking at the data underlying this
4 paper, I was able to, for example, say very clearly
5 that the RNA quality in roughly one-third of the
6 samples that they used was unacceptable for RT-PCR.

7 Q Now the equipment you're using, is that
8 important?

9 A The equipment is important, less important
10 than a lot of other things, but why it is important,
11 and particularly in the case of this paper and the
12 work that was carried out, and again, that's not
13 Unigenetics' fault -- this is a first-generation
14 instrument. It's a so-called "ABI 7700." You may
15 have seen reference to it. We've had one in our lab.
16 Actually, we just got rid of ours last year.

17 The problem with that instrument, as with
18 all old instruments is that the technology has moved
19 on. In the olden days, we were happy to have
20 something that worked. Nowadays, we have more
21 demands.

22 A well-known problem with these instruments
23 is that they rely on a heating block that contains 96
24 wells. So they can do 96 assays in a heating block.
25 In order, as we established, to get a good PCR result,

1999A

BUSTIN - DIRECT

1 you have to have very accurate temperature control and
2 time controls.

3 The problem with these older instruments is
4 that heating/cooling is not uniform across the block,
5 and, again, this is well known. So, very often,
6 people don't use the outside wells because they
7 already know there's problems with these wells.

8 Now, I was able to look at the instruments
9 that they have used, and this is not a specific
10 criticism about Uhlmann because they could never have
11 known about this. In fact, they had the instrument
12 serviced, according to Dr. Sheils, and ABI should have
13 picked this up, but the problem was that there was a
14 problem with one of the instruments they were using.

15 Because I was doing all of the basic looking
16 into the innards of the instrument, I was able to
17 finger print the results and identify which run was
18 done on which instrument, and what I found was that
19 the instrument that was used on most of their runs had
20 a huge variation in the heating and cooling
21 characteristics across the block. What this means is
22 that there is variability of your results, depending
23 on where you place your tube on the instrument.

24 So, regardless of any problems you have with
25 the assay, this contributed to the variability that

2000

BUSTIN - DIRECT

1 we're seeing in the results produced by Unigenetics.

2 Q And you discussed this earlier, but is RT
3 sensitive to temperature?

4 A It is very sensitive to temperature, yes.

5 Q And PCR?

6 A And PCR even more so because there is an
7 exponential amplification, yes.

8 Q And was Unigenetics aware of this problem?

9 A I suspect they were not, of this particular
10 problem because, as I said, Dr. Sheils told me that
11 ABI serviced the instrument. We had ours serviced
12 once a year. I was surprised to see this, but
13 certainly their first runs were in 2000 and 2001 from
14 this machine, and the last ones, I think, were in
15 2003.

16 So it was three years' worth of runs that I
17 was able to fingerprint, and the problem persisted
18 from the very first run to the very last run, a very
19 characteristic pattern of problems with the heating
20 block. So if they serviced it, they didn't do a good
21 job.

22 Q Now, moving on to the data-interpretation
23 phase, and you have referenced this several times,
24 what is a "threshold cycle"?

25 SPECIAL MASTER HASTINGS: What is a what?

2001

BUSTIN - DIRECT

1 MS. BABCOCK: A "threshold cycle."

2 SPECIAL MASTER HASTINGS: Threshold. Okay.

3 THE WITNESS: Can we show the next slide?

4 Now, these are some data from my own lab.

5 They are terrible data; that's why I'm showing them.

6 You've heard the term "amplification plot."

7 SPECIAL MASTER HASTINGS: Amplification

8 what?

9 THE WITNESS: Amplification plot.

10 SPECIAL MASTER HASTINGS: Blot.

11 THE WITNESS: Plot.

12 SPECIAL MASTER HASTINGS: Plot.

13 THE WITNESS: Have you heard that term

14 before? Yes. And you've heard the terms "threshold

15 cycle" and "baseline." So let me explain to you. I

16 want to make sure you can hear me.

17 You can see a blue line. This is what we

18 call the "threshold," and you can see that there are

19 kind of waves coming up from below, and they cross the

20 blue line at a certain point, for example, here, here,

21 and here. Do you see that?

22 SPECIAL MASTER HASTINGS: Yes.

23 THE WITNESS: That is the CT, the threshold

24 cycle. So this is the very first time the instrument

25 can reliably detect fluorescence from its target, and

2002

BUSTIN - DIRECT

1 the more targets you have, the earlier the machine
2 detects it, and the lower the CT.

3 So, as you can see, the CTs start at one
4 here and go to 40 there. So the more targets you
5 have, the more to the left these curves are. So these
6 contain lots of target; these contain very little
7 target.

8 Now, there are certain things you can notice
9 about this, and this is a typical result from a real-
10 time PCR instrument. The first few cycles are very
11 noisy. You can see there's all sorts of funny things
12 going on here, and this is one reason that the ABI
13 recommendations for analysis of your sample are to
14 exclude the first three cycles, at least, from your
15 analysis.

16 BY MS. BABCOCK:

17 Q ABI is a manufacturer of PCR equipment?

18 A ABI is the manufacturer of the instrument
19 that both Unigenetics and myself and Professor Cotter
20 used.

21 So, clearly, you can see this noise here,
22 and it's not surprising that they suggest you don't
23 use that free analysis because it can give you
24 spurious results.

25 SPECIAL MASTER HASTINGS: There you were

Heritage Reporting Corporation
(202) 628-4888

2003A

BUSTIN - DIRECT

1 indicating the data on the left-hand side.

2 THE WITNESS: Yes, this kind of -- whatever
3 you want to call it.

4 So this is the first amplification plot, and
5 it's a duplicate. So it shows you get very nice
6 reproducibility, and you get a characteristic slope
7 here, which I've indicated in red. Now, this slope
8 indicates how efficient the reaction is. So what you
9 can see for the first four amplification plots, the
10 slopes are virtually parallel. Can you see that?

11 SPECIAL MASTER HASTINGS: Yes.

12 THE WITNESS: So the first four --

13 SPECIAL MASTER HASTINGS: The first four
14 beginning about 17 and moving to the right.

15 THE WITNESS: Yes. So for those four
16 reactions, the amplification efficiency is equivalent,
17 and you can compare the quantification. It makes
18 sense to compare the copy numbers from these four
19 runs. Note that these are the ones that have more
20 target in them, so, typically, the more target you
21 have, the better your assay works.

22 Now, a very well-designed assay would be
23 linear over a wide range, but, typically, as you get
24 into the higher CTs, the assay becomes less efficient.
25 You can see this here now because suddenly the slopes

2004A

BUSTIN - DIRECT

1 become flatter. So, clearly, these reactions here are
2 less efficient than these reactions here.

3 So you can't now compare the copies you're
4 getting from this amplification plot to copies you're
5 getting from these amplification plots. That's the
6 first thing I would like you to notice here.

7 The second thing I would like you to notice
8 is that, clearly, we're getting curves coming up here
9 that look like small versions of these plots, but they
10 are below the threshold. So they will be recorded as
11 negatives.

12 BY MS. BABCOCK:

13 Q And to be clear, those are curves farther to
14 the right.

15 A These contain the least amount of target.
16 Now, there can be two problems here. If these are my
17 target, and I'm recording them as negatives, then I've
18 got a false negative here because they really are
19 amplifying, but the way I've analyzed the data doesn't
20 allow me to detect them. Do I make myself clear?

21 The opposite problem is, if these are no-
22 template controls, then they are coming up, but I'm
23 not detecting them because my threshold is wrong. So
24 it is essential that when I'm looking at my data, I
25 look at amplification plots and analyze each reaction

2005

BUSTIN - DIRECT

1 on its own merit and decide whether something is
2 positive or not.

3 This is why the reaction is actually -- the
4 assay is a subjective assay, and, again, if you
5 remember Dr. Ward this morning saying, You don't just
6 push a button and get a result. You push a button,
7 but then you have to decide, once you've got the
8 result, does it make sense or not? Is it real or not?
9 This is where the interpretation comes in.

10 Q Now, how was Unigenetics setting the
11 threshold cycle?

12 A In a very peculiar way. They sometimes
13 followed the ABI guidelines, and sometimes they
14 didn't. Now, this is, again, a typical result from
15 Unigenetics.

16 SPECIAL MASTER HASTINGS: We're looking at
17 Slide 13 now.

18 THE WITNESS: Slide 13, and this is the
19 output you get from the ABI 7700. So this is the
20 software I was referring to that looks pretty and
21 gives you a result. So I rely on this, but then I
22 also, obviously, looked at the underlying data.

23 You can see very nicely here they have used
24 the ABI standards, so the baseline starts at number
25 three and goes to 15. So they excluded all of the

2006

BUSTIN - DIRECT

1 initial noise and get a CT for one of their samples of
2 33, the red one, and a 37 is the green one.

3 MS. BABCOCK: For the record, the witness is
4 indicating --

5 THE WITNESS: This one here.

6 MS. BABCOCK: -- the green line.

7 THE WITNESS: So you've got two lines: a
8 red line and a green line.

9 Now, you can immediately see that these are
10 two different quality of lines. Clearly, something is
11 happening here. There is a take-off. There is PCR
12 going on, but, clearly, nothing is happening here.
13 This is a spurious result, yet it's crossing your
14 threshold. So this is an example where a TaqMan assay
15 gives you a positive result, even though there is no
16 amplification going on.

17 BY MS. BABCOCK:

18 Q Okay. So let me make sure I understand
19 this. So, in this assay, Unigenetics would have
20 reported both the red line as positive and the green
21 line --

22 A Both of these were reported as positive by
23 Unigenetics, yes.

24 Q -- even though there is clearly no
25 amplification with the green line.

2007

BUSTIN - DIRECT

1 A That's correct.

2 Q Did you find that this was happening
3 frequently with Unigenetics?

4 A Yes. Now, just go on to the next slide.
5 What I want to say is that can happen if you don't
6 look at the amplification plot. If you rely on your
7 experimental report, which is the printout the machine
8 gives you, and you don't use your judgment, then this
9 kind of thing can happen. It doesn't mean that --
10 doing it deliberately; it just can happen. Okay?

11 What you should do is you take your
12 threshold, and this, again, is where subjectivity
13 comes in, and just move it up slightly, and now you've
14 excluded this green line, which you've decided, as an
15 investigator who is qualified to do so, is not real,
16 and now your CT is 40. It's negative. So this result
17 is recorded as one positive, one negative. But unless
18 you have the experience and the time and inclination
19 to go through each individual result, you can miss
20 this kind of a positive that is a false positive, even
21 though using a TaqMan assay.

22 Q Is there a third slide?

23 A There is this one. This demonstrates the
24 point in a little bit more detail and shows you
25 something else.

2008

BUSTIN - DIRECT

1 SPECIAL MASTER HASTINGS: Now what slide did
2 you have up now?

3 THE WITNESS: This is Slide 15. If you look
4 at the bottom half of Slide 15 --

5 SPECIAL MASTER HASTINGS: Wait a minute.
6 Right now, your slides are not corresponding to what I
7 have the paper in front of.

8 THE WITNESS: It's labeled 14 on this one.

9 SPECIAL MASTER HASTINGS: Fourteen?

10 THE WITNESS: It's 14 on your handout, and
11 it's 15 on the screen.

12 SPECIAL MASTER HASTINGS: That's right.

13 MS. BABCOCK: We'll go by yours because mine
14 are incorrect.

15 THE WITNESS: Which one?

16 MS. BABCOCK: So we're on 14.

17 THE WITNESS: Slide 14 of the handout.

18 SPECIAL MASTER HASTINGS: Slide 14 of the
19 handout. Okay.

20 THE WITNESS: Now, you're getting familiar
21 with these outputs from the ABI instrument. The first
22 thing to look at is the baseline, and you'll notice it
23 starts at two. So rather than using three to 15, for
24 some reason, Unigenetics has used two to 15. Do you
25 see this?

2009

BUSTIN - DIRECT

1 SPECIAL MASTER HASTINGS: I'm reading it as
2 two to 13.

3 THE WITNESS: I'm sorry. Two to 13. They
4 used two to 13 rather than two to 15. Do you see
5 that?

6 SPECIAL MASTER HASTINGS: Yes.

7 THE WITNESS: Now, this is a negative
8 control, and the effect of using two to 15 is to give
9 you a negative CT because the amplification is below
10 the threshold.

11 BY MS. BABCOCK:

12 Q So, again, the threshold is at the very top
13 of this picture.

14 A The threshold is the black line at the very
15 top.

16 Q Okay.

17 A So this is a no-template, negative control
18 that, if positive, would suggest contamination and
19 make you doubt the run. This has been analyzed using
20 an inappropriate setting. If you change the setting
21 to the ABI-recommended setting, you get this result, a
22 positive CT, a very high positive CT, but,
23 nevertheless, you're getting a positive CT.

24 Q And by "ABI-recommended," you mean starting
25 at Cycle 3 or greater.

2010A

BUSTIN - DIRECT

1 A Three. If you use the recommended settings,
2 your no-template control appears as a positive. If
3 you change the baseline setting, it appears as a
4 negative. This was reported as a negative, even
5 though, clearly, without me doing anything to the
6 analysis, it's positive.

7 SPECIAL MASTER HASTINGS: On Slide 14,
8 you're showing the same data.

9 THE WITNESS: Yes.

10 SPECIAL MASTER HASTINGS: I'm not sure if
11 I'm using the proper term.

12 THE WITNESS: You are.

13 SPECIAL MASTER HASTINGS: But, at the
14 bottom, it's run with the baseline, two to 13.

15 THE WITNESS: Yes.

16 SPECIAL MASTER HASTINGS: At the top, you're
17 showing the same data here --

18 THE WITNESS: Yes.

19 SPECIAL MASTER HASTINGS: -- but with what
20 you call the proper baseline, three to 15 --

21 THE WITNESS: Yes.

22 SPECIAL MASTER HASTINGS: -- which would
23 produce an improperly -- well, the one at the top
24 shows a positive result when it shouldn't.

25 THE WITNESS: That's correct.

Heritage Reporting Corporation
(202) 628-4888

2011A

BUSTIN - DIRECT

1 SPECIAL MASTER HASTINGS: Are you suggesting
2 they did this on purpose? I mean, --

3 THE WITNESS: I'm not suggesting anything.
4 All I'm reporting is what I've seen. What I noticed
5 when I looked at the experimental report, it struck me
6 as very odd that they very often did not use the
7 three-to-something setting; they used a one or two
8 setting, and it's something that we have never done,
9 and we know, from ABI literature, you shouldn't do.

10 So I was always curious why they did that,
11 and until I got access to the raw data, I couldn't
12 explain it. But this explains the result of doing
13 that kind of change. You can clearly see these are
14 the same curves. They look exactly the same. The
15 only difference is how the instrument analyzes the
16 data.

17 SPECIAL MASTER HASTINGS: The proper way to
18 do this would be for every run to use the same
19 baseline.

20 THE WITNESS: Not necessarily at the upper
21 level but certainly not go down beyond three at the
22 lower level, yes.

23 SPECIAL MASTER HASTINGS: Okay. Go ahead.

24 SPECIAL MASTER VOWELL: I'm not sure I heard
25 you correctly, Dr. Bustin. This was a no-template,

2012

BUSTIN - DIRECT

1 negative control --

2 THE WITNESS: Yes.

3 SPECIAL MASTER VOWELL: -- with a positive
4 result, if you ran it in accordance with the machine
5 standards.

6 THE WITNESS: Yes. This is one example of
7 the one-in-three runs that are positive.

8 BY MS. BABCOCK:

9 Q Could we go back to Slide 13 for a moment?
10 Now, I wanted to have you sort of expand upon this.
11 You mentioned that, although the red is the only one
12 that's amplifying, both red and green were reported as
13 positive.

14 A This is a common thing. This happens again
15 and again and again. Virtually every time I can
16 remember -- I haven't looked at these in a very long
17 time -- to my recollection, whenever there is a hint
18 of a positive result from the F gene in a sample, it
19 is recorded as positive, regardless of whether it is
20 genuine amplification or not.

21 If it is a no-template control, it appears
22 as a negative. Either it is completely omitted from
23 the experimental report, or the baselines are altered
24 to generate the impression of a negative-negative
25 control.

2013

BUSTIN - DIRECT

1 Q Now, did you also observe problems with
2 discordant replicates? You should start by explaining
3 what that is.

4 A There are various ways of carrying out a
5 real-time PCR assay. In general, you will do it at
6 least twice and do each assay twice at the same time,
7 a duplicate or sometimes even a triplicate assay.

8 Now, the reason for doing this is, if you
9 have a genuine result, if you do the experiment twice
10 at the same time, you should get the same result. If
11 there's any major discrepancies, you get worried about
12 it and repeat it. In the old days, we used to say,
13 we'll have to do triplicates, but I think duplicates
14 are fine.

15 Certainly, one would expect an assay to be
16 repeated, not just done once but done twice. Now,
17 typically, that didn't happen with Unigenetics. They
18 did their assays once only and duplicate. So it's not
19 ideal, but at least they had duplicates.

20 The problem was that, in most instances, in
21 many instances, they had discordant replicates for the
22 F gene. That means that one was positive; one was
23 negative. One gave a very high CT; one gave a very
24 low CT. Now, this immediately suggests there is a
25 problem because if you've got a genuine result, it

2014

BUSTIN - DIRECT

1 should be repeatable, and this shows one of the very
2 few instances when they actually repeated an assay on
3 two separate occasions.

4 What you see here in the open bars is an
5 assay done on the 21st of March, and the black bars on
6 the 26th of March. All I'm doing here is I'm
7 comparing the CTs obtained at the different days or
8 dates, and you can see there is massive variability.
9 Intriguingly, on the first day, some are positive, and
10 others which are negative on the second day and vice
11 versa.

12 Now, what Unigenetics did here was, the ones
13 that are positive on the first day, they were reported
14 as positive, and if they were negative on the second
15 day, they were still reported as positive from the
16 first experiment, and if they were negative on the
17 first day and positive on the second day, they were
18 still reported as positive.

19 So we've got two sets of positive results
20 from one set of experiments, and they are discordant.

21 Q Now, you also discuss in your reports
22 repeatability and reproducibility. Why are these
23 important?

24 A Clearly, if something is real, then I can
25 repeat it. If I repeat my experiment, and I don't get

2015A

BUSTIN - DIRECT

1 the same result, I, at the very least, question the
2 result of the first time and do it again.

3 Q Now, you mentioned Professor Cotter earlier.
4 Did he undertake an effort to reproduce some of the
5 results, positive results, from Unigenetics?

6 A This is very crucial because Professor
7 Cotter was instructed by the Claimants to try and
8 reproduce the O'Leary results. Professor Cotter, I
9 think I said, works in our institution and has an ABI
10 instrument. So what happened was that he was sent
11 samples by Unigenetics, and Unigenetics extracted RNA,
12 and Professor Cotter extracted RNA from those samples.
13 In every instance where Professor Cotter extracted
14 RNA, the results were negative, even though
15 Unigenetics got positive results.

16 So Professor Cotter extracts his own RNA and
17 never sees a positive CT, clearly suggesting there is
18 no F gene target in any of these samples. Unigenetics
19 then sent Professor Cotter their RNA, and then he
20 assayed their RNAs, and these are the results shown on
21 Slide 17. I think it's 17, isn't it?

22 Q I believe so.

23 A Yes. They are concordant. What you can see
24 here is, first of all, it looks as though Cotter's
25 assay is more sensitive because the CTs are lower for

2016

BUSTIN - DIRECT

1 Cotter in general than they are for Unigenetics, and
2 that explains why he is getting more positives than
3 Unigenetics.

4 SPECIAL MASTER HASTINGS: The red ones are
5 the positives.

6 THE WITNESS: The red ones are positive; the
7 green ones are negative.

8 But you can immediately see that there is
9 discordance already between Cotter and Unigenetics:
10 the same RNA, the same assay, done in different
11 locations. Now, bear in mind that all of these were
12 negative when Cotter extracted the RNA, so all of
13 these samples must be false positives, or they are
14 most certainly contaminants because Cotter, who is the
15 benchmark, as instructed by the Claimants themselves,
16 and, in fact, Professor Cotter reported this in his
17 report for the Claimants, that he was unable to show
18 any sign of F gene target in any of the RNAs that he
19 prepared and was able to show discordant results with
20 Unigenetics' results.

21 So what we're seeing really is further
22 confirmation of the fact that what Unigenetics is
23 amplifying are contaminants, and if you extract your
24 RNA properly and include the proper controls in your
25 assays, you get a negative result. The same assay

2017

BUSTIN - DIRECT

1 carried out at Unigenetics gives you a positive
2 result.

3 BY MS. BABCOCK:

4 Q Now, is final data interpretation an
5 objective process?

6 A No. Again, as I tried to intimate, data
7 analysis for real-time PRC is very subjective, and
8 this illustrates the point very nicely. For some
9 reason -- I can't remember why -- four control samples
10 were substituted with four other control samples, and
11 the student who did this experiment prepared the RNA,
12 ran the RT-PCR run, and then reported that all four
13 samples were negative. So, by her interpretation,
14 these four samples were negative.

15 When I analyzed these results, this is what
16 I saw, and you can see, I can get at least three of
17 these three positive.

18 SPECIAL MASTER HASTINGS: Now, I can't see
19 that, Doctor.

20 THE WITNESS: I'm sorry.

21 SPECIAL MASTER HASTINGS: We're on Slide 18.

22 THE WITNESS: We're on Slide 18.

23 SPECIAL MASTER HASTINGS: I wasn't clear.

24 What medical student at what lab did this? I didn't
25 understand anything.

2018

BUSTIN - DIRECT

1 THE WITNESS: I'm sorry. That's my fault.

2 SPECIAL MASTER HASTINGS: Okay.

3 THE WITNESS: If you look at the Uhlmann
4 paper, you notice there's a lot of authors on that
5 paper.

6 SPECIAL MASTER HASTINGS: A lot of authors.
7 Okay.

8 THE WITNESS: So a lot of different
9 individuals have gone through the Unigenetics
10 laboratory and carried out the different experiments.
11 This is the work of lots of different individuals.

12 Now, this particular run was carried out by
13 a student who was there and got the samples, prepared
14 the RNA, and did a run looking for F gene in those
15 control samples.

16 SPECIAL MASTER HASTINGS: All right.

17 THE WITNESS: And what you see here is the
18 black line, the thresholds, and you can see at least a
19 purple, a red, and a blue line that you can get to
20 cross the threshold --

21 SPECIAL MASTER HASTINGS: Yes.

22 THE WITNESS: -- and I recorded here as
23 positive.

24 SPECIAL MASTER HASTINGS: Okay.

25 THE WITNESS: Note, I do that by keeping the

Heritage Reporting Corporation
(202) 628-4888

2019A

BUSTIN - DIRECT

1 baseline on the left at three but now move the
2 baseline on the right to 34. Okay? Now, this is the
3 subjective interpretation of this run. That's all I'm
4 trying to demonstrate here. The reason I've done that
5 is, as you can see, this noise here moves downwards,
6 and this masks your results. So you have to
7 compensate for this by changing the right-hand
8 baseline.

9 Do you remember, you asked me earlier on
10 whether you change both baselines, and I said, You
11 don't change the left one; you can change the right-
12 hand one. This is an example of where you can change
13 the right-hand one. Then these four controls now,
14 which they report as negative, are positive in my
15 hands.

16 Now, I think this is a genuine amplification
17 from a contaminant, but they record this as negative,
18 simply based on a different interpretation of the
19 settings of the instrument. So the demonstration here
20 is that I can get whatever result I like by varying
21 the analysis conditions.

22 SPECIAL MASTER CAMPBELL-SMITH: Let me ask
23 you, Dr. Bustin, when you said that you're making the
24 adjustment to the right-hand side of the baseline, the
25 stop part, that correlates to the 34 that is at the

Heritage Reporting Corporation
(202) 628-4888

2020

BUSTIN - DIRECT

1 bottom --

2 THE WITNESS: That's right.

3 SPECIAL MASTER CAMPBELL-SMITH: -- and
4 that's the end of what looks like that sort of noise
5 area where it looks like there begins to be some
6 activity.

7 THE WITNESS: That's right.

8 SPECIAL MASTER CAMPBELL-SMITH: So you're
9 subjectivity there, the subject of your
10 interpretation, is really getting some sense of where
11 it appears that noise has stopped --

12 THE WITNESS: That's right.

13 SPECIAL MASTER CAMPBELL-SMITH: -- and
14 activity is taking place.

15 THE WITNESS: That's right.

16 SPECIAL MASTER CAMPBELL-SMITH: Okay. Thank
17 you.

18 THE WITNESS: Because if I hadn't taken that
19 noise into account, this threshold cycle would be
20 further up here and make these negative. So this is
21 where the subjectivity comes in. Now, it can go
22 either way. As long as you're consistent, it's
23 probably okay, but you would always be concerned about
24 this kind of a result, and, at the very least, you're
25 going to repeat it. This is something that has never

2021

BUSTIN - DIRECT

1 happened, or very rarely happens, with Unigenetics.

2 BY MS. BABCOCK:

3 Q Now, in talking generally about good
4 laboratory procedure, you have mentioned numerous
5 times today your concerns about contamination at
6 Unigenetics.

7 A Uh-huh.

8 Q Do you have any idea as to how that might
9 have occurred?

10 A Well, I think Dr. Ward made a very good
11 point this morning. He suggested that every lab has
12 problems with contamination, and that is true.
13 Typically, the main problem with PCR is contamination.
14 So all of us have these problems from time to time, so
15 this not something that is peculiar to Unigenetics.
16 That's where I would like to start off, and I'm not
17 berating them for that.

18 It is important, therefore, that you always
19 have the correct controls and the correct procedures
20 in place, and this is where one would fault them.

21 Now, one of the peculiar things we noticed
22 when we went to their setup or their laboratory was
23 that next to the PCR handling of an instrument
24 laboratory was a room which was labeled "Plasmid
25 Room."

2022

BUSTIN - DIRECT

1 Now, if you remember, I talked about
2 plasmids earlier on. A plasmid is a DNA molecule that
3 you can use to replicate lots and lots of DNA
4 molecules in a bacterium, and, typically, in this
5 case, it was used to clone the target F or H gene into
6 a bacterium, prepare lots of DNA, and then make RNA
7 for the standard curves.

8 So, obviously, if you have hundreds of
9 millions, or thousands of millions, of bacteria, each
10 containing tens of hundreds of copies of DNA, you've
11 got a massive potential for DNA contamination. So you
12 never want to have any plasmid DNA anywhere near your
13 laboratory where you're doing the PCR.

14 So it struck us as peculiar that they had a
15 room labeled "Plasmid Room" next to the laboratory,
16 and that plasmid room contained a shaker for growing
17 up bugs. I asked Dr. Sheils several times, and she
18 assured me that they did not use that plasmid room for
19 growing up F gene target for their standards.

20 So this may or may not be the source of
21 contamination, but the DNA, again, as Dr. Ward
22 mentioned this morning, is all-pervasive. Once you've
23 got DNA contamination, it persists for years, and it
24 gets in everything.

25 If you're handling bacteria, if you're

Heritage Reporting Corporation
(202) 628-4888

2023

BUSTIN - DIRECT

1 handling plasmids, it gets into your hair, on your
2 hands, on your clothes, and you will carry it around
3 with you, and that is the problem.

4 So I could speculate all day, and I really
5 don't want to speculate. It doesn't actually matter.
6 The fact is that I'm showing that they are getting DNA
7 contamination. Where it comes from is another matter.
8 What matters is we're getting DNA contamination, and,
9 by definition, therefore, we're not detecting measles
10 virus.

11 SPECIAL MASTER HASTINGS: Ms. Babcock, I'm
12 trying to decide when to take our afternoon break.
13 You still have a ways to go on direct?

14 MS. BABCOCK: Maybe 15 to 20 minutes.

15 SPECIAL MASTER HASTINGS: All right. Why
16 don't we take a 15-minute break right now?

17 (Whereupon, a short recess was taken.)

18 SPECIAL MASTER HASTINGS: We're back from
19 our afternoon break. At this point, we're going to
20 continue with the direct exam of Dr. Bustin. Ms.
21 Babcock, please go ahead.

22 BY MS. BABCOCK:

23 Q Now, Dr. Bustin, we touched briefly on
24 standard operating procedure earlier in your
25 testimony. Why is it important, particularly with

2024

BUSTIN - DIRECT

1 respect to Unigenetics?

2 A As I tried to explain, the standard
3 operating procedure is like a recipe so that if you
4 follow this recipe, you expect to end up with an
5 acceptable result, like if you're baking a cake, and
6 you follow the recipe, you get a nice cake; if you
7 don't, you don't.

8 It is particularly important for Unigenetics
9 because there were several individuals that carried
10 out the assays that they then reported. So reliable
11 results means following your SOP, and I've got a nice
12 example of where they did not follow the SOP.

13 What we have here is, if you remember --

14 SPECIAL MASTER HASTINGS: I'm on Slide 19.

15 THE WITNESS: So it's Slide 19. The TaqMan
16 probe, if you remember, contains a fluorescent label
17 that when you shine light on it, it gives off light.
18 In addition to this fluorescent label which is on the
19 TaqMan probe, you add a reference dye to your tube to
20 make sure your pipeting is correct, and if it isn't
21 correct --

22 SPECIAL MASTER HASTINGS: To make sure your
23 pipette?

24 THE WITNESS: -- your pipeting is okay. If
25 the pipeting isn't okay, then the standard dye you've

2025A

BUSTIN - DIRECT

1 added will obviously vary. The instrument recognizes
2 that and compensates for that, and this dye is called
3 ROX.

4 SPECIAL MASTER HASTINGS: Called what?

5 THE WITNESS: ROX, R-O-X. It's just a
6 chemical name.

7 SPECIAL MASTER HASTINGS: All right.

8 THE WITNESS: So this kind of data you can
9 only get when you drill into the innards of the
10 instrument. What I've done here is very simple. For
11 one run, I looked at the ratio of the fluorescent
12 probe to the ROX dye in the reaction tube.

13 The question I'm asking is, did they add the
14 same amount of probe into each tube, and if they have,
15 then the ratio of FAM, which is the label on the
16 probe, the reference dye should be the same, or more
17 or less the same, across the plates.

18 Now, just one look at Slide 19 shows you
19 that there is at least 10 or 12 different samples
20 where they have added twice the amount of probe to the
21 wells because the FAM-ROX dye ratio has gone up from
22 roughly .65 to roughly 1.3.

23 So this is an example of, if the standard
24 operating procedure asked them to add one microliter
25 of probe, then they have done that in 80 or 50 of

BUSTIN - DIRECT

1 these samples, but for a certain number, they haven't.
2 They have deviated from the SOP, and this is something
3 that happens again and again and again at every level.
4 At the level of RNA preparation, at the level of
5 reverse transcription, at the level of PCR, at the
6 level of analysis, at the level of interpretation,
7 they vary from the SOP that they have generated
8 themselves.

9 The effect of all of this is to give you
10 variability. So if you have different individuals
11 doing different things using different samples at
12 different times, it is not surprising that your
13 results become somewhat unreliable.

14 BY MS. BABCOCK:

15 Q Now, how should laboratory books be used
16 during PCR testing?

17 A Well, laboratory notebooks are used to
18 record what an individual does. So, typically, you
19 date it, and you write down everything you've done
20 with respect to an experiment. You would write down,
21 for example, where you got your sample from, what lot
22 of kit you used to extract your RNA, where your
23 enzymes came from to do your reverse transcription,
24 how much you used.

25 It contains this kind of housekeeping

2027

BUSTIN - DIRECT

1 record, which means that if you go to write up your
2 paper, which might be a year later, you know what
3 you've done. It means that if someone came to inspect
4 your lab books, they could have a clear record of what
5 you've done. It just helps you and everybody else to
6 maintain confidence in whatever results you've got,
7 and if there is a problem, you can go back and see
8 what the problem might have been.

9 For example, you might find that a
10 particular lot of enzyme hasn't worked, and then you
11 can go back to your lab book and say, I used this lot
12 on that day, and that's why this experiment failed.
13 That is the reason you would write up a lab book, and
14 they tend to be sacrosanct, in terms that people don't
15 change them.

16 Q Now, when you reviewed the Unigenetics lab
17 notebooks, did you observe any discrepancies or have
18 concerns?

19 A Yes. At least one set of lab books was
20 disclosed at two separate occasions, and this is Slide
21 No. 20, and at the top you see the lab notebook page
22 that was disclosed at the earlier time point, and, at
23 the bottom, you see the same page from the lab
24 notebook disclosed at the second time point.

25 What is highlighted in yellow are additions

BUSTIN - DIRECT

1 that have been made to the lab book between the first
2 and the second disclosure, which is highly unusual,
3 and, really, from what I told you, to begin with, is
4 something that you shouldn't do because why would you
5 change an entry in your lab book after a certain
6 amount of time? This makes you worried about the
7 reliability of any results obtained from this
8 particular experiment.

9 What is interesting is that, if you look at
10 the very bottom line of the second lab book, it
11 mentions A-10 tipped. Now, "tipping" refers to taking
12 the end of your pipette tip and brushing it against a
13 reaction vessel and perhaps getting a false positive
14 because of that, because you put a little bit of your
15 reaction mix into the wrong tubes; you've tipped the
16 tube.

17 So why they have done this, I don't know,
18 but all I can do is report the results of, in this
19 particular case, this particular entry from two
20 different disclosures. There are other examples of
21 this.

22 Q That was going to be my follow-up question:
23 This was not the only example of discrepancies.

24 A No. I would like to take you through a
25 rather peculiar alteration that I observed. So this

2029

BUSTIN - DIRECT

1 is typical of the kind of experiment report I was
2 referring to earlier on. The instrument tells you the
3 day --

4 SPECIAL MASTER HASTINGS: We're looking at
5 Slide 21.

6 THE WITNESS: Slide 21. The instrument
7 tells you the day of the run, the experimental
8 conditions of the run, and then lists the wells and
9 what's in the wells. So this is the record that
10 you'll analyze, and, in fact, all of these were
11 disclosed to us, and this is the kind of thing where
12 there were discrepancies where I became worried about
13 the quality of the assays and went on to drill deeper
14 into the actual runs, the raw data, basically. Could
15 we blow up the next slide?

16 So let me explain this to you. The operator
17 sheet, which is the lab book, told me that every
18 single one of these samples was run as a triplicate.
19 So they used three identical tubes per sample. If you
20 look at this Slide 22, you can see that a well, B-1,
21 B-2, and B-3 have a one, one, one next to it. Wells
22 B-4, B-5, and B-6 have a two, two, two. So B-7, B-8,
23 and B-9 have three, three, three, and so on, down to
24 the no-template control, which, as you can see, is
25 negative here and is also run in triplicate.

Heritage Reporting Corporation
(202) 628-4888

2030A

BUSTIN - DIRECT

1 So there is no problem with this. If you
2 now look at this column here --

3 BY MS. BABCOCK:

4 Q So let's be clear. That's the one, two,
5 three, four -- fifth column from the left.

6 A Yes. It starts at 18.16. If you go down
7 the first three, you see 18.16, 18.38, 17.90. They
8 are very close together. So this confirms the
9 triplicates in the first tube. If you go down the
10 column, again, you can see that they tend to go in
11 triplicates. They all look very similar.

12 Next to the 18.16, you see something, 6.0e +
13 05. This is scientific notation and means there's six
14 times 10-to-the-five copies of whatever target there
15 was. That's what the instruments calculated.

16 SPECIAL MASTER HASTINGS: Where are you,
17 again, Doctor?

18 THE WITNESS: Here, 6.0.

19 SPECIAL MASTER HASTINGS: Okay. The next
20 column.

21 THE WITNESS: Yes. If you go down the
22 columns, you can see that the copy numbers are
23 calculated out very close together, confirming that,
24 in fact, there are triplicates.

25 The column next to that, two from the right,

Heritage Reporting Corporation
(202) 628-4888

BUSTIN - DIRECT

1 shows the standard deviations that the machine
2 calculates, and, again, if you look at the data, they
3 always go in triplicates. So the first three are the
4 same, the second three are the same, the third three
5 are the same, and so on.

6 So have I made myself clear?

7 SPECIAL MASTER HASTINGS: Yes.

8 THE WITNESS: So, from the operator sheet,
9 from the lab notebook, and from the experimental
10 report that the instrument has put out, there is no
11 doubt that we're looking at a run that has
12 triplicates.

13 Now, the way Unigenetics submitted their
14 data to the Court was that they had individual cases,
15 they submitted the experimental report, which is this,
16 and, say, for Patient 8, they would box in the results
17 that are showing, the data they got for Patient 8.

18 So what I've written onto this is this
19 squiggly arrow here, a question mark, and these
20 brackets here. What Unigenetics have written: They
21 have drawn this box here.

22 SPECIAL MASTER HASTINGS: They have drawn
23 the horizontal line.

24 THE WITNESS: They have drawn the two
25 horizontal lines and labeled it number eight -- that's

2032

BUSTIN - DIRECT

1 their writing -- suggesting that this is the sample
2 submitted for Patient No. 8.

3 They have also drawn viral cells, one-over-
4 100 here. Okay?

5 SPECIAL MASTER HASTINGS: All right.

6 THE WITNESS: Viral cells, if you look back
7 to the Uhlmann paper, are the cells that they infect
8 with measles virus to get a positive control, so
9 that's a positive control.

10 So what Unigenetics have submitted here is
11 something that, by all measures that I've been able to
12 ascertain, are triplicates, but they have boxed in a
13 duplicate, labeled it number eight, and called this
14 the "patient sample." But what they have left on
15 this experimental report is highlighted in yellow:
16 "Posit C."

17 Now, I have to interpret here, and I may be
18 wrong, but I would call that "positive control." Now,
19 what I think this represents is a test run for the
20 positive control, which has been run in triplicate,
21 and five, five, five is one sample, and six, six, six
22 is the other sample. I don't believe that this is
23 Patient Sample 8. I believe this is a control sample.

24 So this is the kind of strange results that
25 were submitted.

2033A

BUSTIN - DIRECT

1 SPECIAL MASTER HASTINGS: When you say
2 "submitted," did you say "submitted to the Court"?

3 THE WITNESS: I'm very unclear about legal
4 terms.

5 SPECIAL MASTER HASTINGS: Okay.

6 THE WITNESS: This is what they sent as part
7 of the evidence to support their results, and I got
8 this from the solicitors in a folder as their
9 submissions for Patient No. 8. I don't know what the
10 right legal term for this is.

11 SPECIAL MASTER HASTINGS: So you understand
12 that this is what they submitted in the U.K.
13 litigation.

14 THE WITNESS: Yes.

15 SPECIAL MASTER HASTINGS: All right.

16 THE WITNESS: Yes. This is in my report
17 that you have in front of you.

18 So let me just repeat: The horizontal lines
19 on the number eight and the viral cells, one-in-100,
20 are marked by Unigenetics when they submitted this as
21 evidence. I don't know what you call it.

22 But, in my opinion, from all of the evidence
23 I have, the underlying evidence suggests that this is
24 not Patient No. 8. There is a positive control.

25 //

BUSTIN - DIRECT

1 BY MS. BABCOCK:

2 Q Now, is this the only instance of
3 discrepancies or, I guess, concerns with the operator
4 sheets that you observed when you reviewed them?

5 A This is the only one that I put into my
6 report.

7 Q Now, to your knowledge, was Unigenetics ever
8 accredited?

9 A No. To my knowledge, they were not.

10 Q Could this be part of the reason some of
11 these problems weren't detected earlier?

12 A Yes. I'm sure that is true. I also believe
13 that Dr. Afzal tried to recruit Unigenetics into a
14 quality-control program, which involved various
15 laboratories in Europe; the United States, I don't
16 know; and Unigenetics refused to take part in this.

17 So there was never any independent quality
18 assessment made of any of the work that was carried
19 out by Unigenetics. Because Ireland is not part of
20 the U.K., so I think that the legal requirements are
21 different there. So there's various reasons why this
22 has never been looked at before.

23 Q Now, we've outlined quite a few concerns,
24 some more significant than others, I'm sure. Based on
25 your expertise in PCR, if you had to pick a top two or

BUSTIN - DIRECT

1 name your top three, what are the most substantial
2 concerns here, based on your analysis?

3 A I think that there is clear evidence that
4 what Unigenetics are detecting is DNA, a DNA
5 contaminant, and, by definition, this cannot be
6 measles virus RNA. The evidence -- this is Slide 23,
7 which repeats one of the previous slides. If you
8 compare the F gene results from frozen tissue to
9 formalin-fixed tissue, you get the same result in
10 terms of CT, whereas Professor O'Leary's own control
11 results show that he gets a higher CT for his control
12 GAPDH.

13 So if GAPDH, which is RNA and which no one
14 disputes is RNA, gives you a higher result, and the F
15 gene doesn't give you a higher result, this cannot be
16 RNA. So this is the first thing I would like to point
17 out.

18 This is reinforced by the fortuitous result
19 where they look at the RNA, identify a problem with
20 the RNA because it doesn't amplify the internal gene,
21 GAPDH, but, nevertheless, go on to use the F gene
22 results. So the degraded RNA again gives the same
23 quantity of F gene target as the high-quality RNA.
24 Since this, by definition, is degraded RNA, the
25 amplification target must be DNA.

BUSTIN - DIRECT

1 And the third point here is the next slide,
2 which, if you remember, compares the results obtained
3 in the F gene run -- this is Slide 25 -- where they
4 forgot to add an RT step to all of the other runs. In
5 the absence of an RT step, you get very inefficient
6 amplification of RNA. You would not expect to get,
7 more or less, the same CTs.

8 So all of this evidence suggests very, very
9 strongly that what they are detecting is DNA and not
10 RNA. Because measles virus doesn't exist as a DNA
11 molecule in nature, they cannot be detecting measles
12 virus RNA. They are detecting a contaminant. All of
13 the additional evidence, from the nonreproducibility
14 by Professor Cotter of the same samples that
15 Unigenetics analyzed to the analysis of the data where
16 there are discordant positives, where the negatives
17 came up positive, suggests very, very strongly to me
18 that there is a lot of contamination in the
19 laboratory, which is not unusual, but they have not
20 handled it very well in how they have troubleshot
21 their problems.

22 So I have very little doubt that what they
23 are detecting is a DNA contaminant and not measles
24 virus, and I do not believe there is any measles virus
25 in any of the cases they have looked at.

2037

BUSTIN - DIRECT

1 Q Now, we know that cerebral spinal fluid
2 samples were sent from Dr. Bradstreet to Unigenetics
3 for testing. Do the same concerns you've outlined
4 here apply to that testing?

5 A Yes. Exactly the same concerns would apply
6 to that.

7 Q So, overall, based on your professional
8 experience and expertise in PCR, your personal
9 examination of the methods and testing used by Dr.
10 O'Leary in the Unigenetics laboratory, do you feel
11 that that laboratory was able to reliably identify
12 measles virus RNA?

13 A No, I don't.

14 Q Does this opinion extend specifically to the
15 Unigenetics result from Michelle Cedillo?

16 A It does.

17 Q And you hold this opinion to a reasonable
18 degree of medical certainty, scientific certainty.

19 A I do.

20 MS. BABCOCK: No further questions.

21 SPECIAL MASTER HASTINGS: Thank you, Ms.
22 Babcock.

23 Ms. Chin-Caplan, do you have some questions
24 for this witness? Please go ahead.

25 //

BUSTIN - CROSS

1 CROSS-EXAMINATION

2 BY MS. CHIN-CAPLAN:

3 Q Hello, Doctor.

4 A Hello.

5 Q Doctor, do you have a Web site?

6 A I do, yes.

7 (Pause.)

8 MS. CHIN-CAPLAN: We'll just move on until
9 we get our technical difficulties resolved.

10 (Discussion held off the record.)

11 BY MS. CHIN-CAPLAN:

12 Q So, Doctor, just to be sure that I
13 understand what you're saying, on page 10 of your
14 presentation of your slides, you indicated that the
15 red on the slide --

16 A I'm sorry?

17 Q -- the red on the slide --

18 A Yes.

19 Q -- was outside of the expected -- I'm going
20 to say "curve," but that's probably not right.

21 SPECIAL MASTER HASTINGS: Which slide are we
22 looking at?

23 MS. CHIN-CAPLAN: We're looking at page 10.

24 SPECIAL MASTER HASTINGS: Number 10.

25 MS. CHIN-CAPLAN: Yes.

BUSTIN - CROSS

1 BY MS. CHIN-CAPLAN:

2 Q Now, Doctor, is this the Y axis?

3 A Yes.

4 Q Okay. And this is the X axis along the
5 horizontal plane. Correct?

6 A That's right.

7 Q Okay. And, Doctor, on the Y axis, it talks
8 about threshold cycles. Is that true?

9 A That's right.

10 Q So is that an indication of how many times
11 the experiment is run, how many cycles it goes
12 through?

13 A How many PCR cycles there are, yes.

14 Q Is there a standard number of PCR cycles
15 that's acceptable within the profession?

16 A By convention, most people tend to use 40
17 cycles, but some people go up to 45 cycles, but
18 convention, 40 is kind of what is used, yes.

19 Q Forty?

20 A Yes.

21 Q So, Doctor, convention is 40. They ran it
22 at approximately 40 -- correct? --

23 A Yes.

24 Q -- that very first number here.

25 A Yes.

BUSTIN - CROSS

1 Q Yes. And, Doctor, underneath it, which
2 would be the X axis, it has 10-to -- is it 10 to the
3 one, 10 to the two?

4 A That's 10 copies there, yes.

5 Q So that's an indication of the copy numbers.
6 Is that it?

7 A Yes.

8 Q Doctor, they had to run this experiment 40
9 times to get 100 copies. Is that it?

10 A No. You've put your RNA into a tube, and
11 you have done your PCR run, and you've done 40 cycles.

12 Q Okay.

13 A The CT that has come up is roughly 39, say.

14 Q Okay.

15 A The software based on the standard curve has
16 now placed the 39 CTs in a position of roughly two
17 copies, suggesting there's two copies there, yes.

18 Q Okay.

19 A That's how it works.

20 Q Okay. So, Doctor, it seems like there is a
21 problem with the low copy numbers and the higher cycle
22 numbers.

23 A That's correct.

24 Q And that would make sense, wouldn't it?

25 A Yes.

BUSTIN - CROSS

1 Q That's what you would expect to see.

2 A Yes.

3 SPECIAL MASTER HASTINGS: You need to state
4 your answer rather than --

5 THE WITNESS: Sorry. Yes, yes.

6 BY MS. CHIN-CAPLAN:

7 Q So, Doctor, could we just go to Slides --
8 I'm going to look at 13, 14, 15. Doctor, on these
9 slides here --

10 A Could we take them one by one so I --

11 Q Okay. Why don't we take them one by one?
12 On Slide 13, there is this black line across -- it
13 looks like about one. Is this black line the
14 threshold?

15 A Yes.

16 Q And the threshold is where you would detect
17 the presence of measles RNA.

18 A These two are not equivalent. These are
19 completely different scales.

20 Q Yes, I know, yes, yes.

21 A Yes. This is the line where the instrument,
22 by definition, first detects reliably, above
23 background, the amplification product, yes.

24 Q Doctor, again, your dispute here --

25 A I'm sorry. My what?

BUSTIN - CROSS

1 Q Your dispute here with Unigenetics involves
2 the numbers that are in the green area. Is that it?

3 A Not the numbers; the shape of the curve.

4 Q The shape of the curve.

5 A Uh-huh.

6 Q Okay. Doctor, the shape of the curve,
7 again, seems to involve low copy numbers. Is that it?

8 A Yes.

9 Q And they are the copy numbers that hover at
10 or above the level of detection.

11 A Uh-huh.

12 Q Correct?

13 A Yes.

14 Q So, Doctor, if we go to page 14 --

15 A This one?

16 Q -- yes, 14 -- again, the black line
17 represents the threshold.

18 A That's correct.

19 Q And, on B, the threshold is actually at the
20 top of the graph. Correct?

21 A Uh-huh.

22 Q And the shape of the curve here is, again,
23 what you're disputing.

24 A No.

25 Q No?

BUSTIN - CROSS

1 A I think you can see the clear difference
2 between going up and nothing happening.

3 Q Okay.

4 A That's what I'm saying. This suggests
5 amplification --

6 Q Okay.

7 A -- because it's going up in a straight line
8 continuously. This suggests spurious background
9 because it's coming on straight.;

10 If you remember what PCR is, it's
11 exponential amplification, so one, two, four, eight.

12 Q Yes.

13 A So if that doesn't happen, you get a
14 straight line.

15 Q Okay. But, again, Doctor, your dispute
16 seems to be with the copy numbers that appear just
17 around threshold.

18 A My dispute appears to be with very high CT
19 positives, yes.

20 Q High CT positives. Does that mean the high
21 number of cycles?

22 A Yes.

23 Q And that would make sense.

24 A Uh-huh.

25 Q So if you have a high number of cycles, it's

BUSTIN - CROSS

1 like sending something through a Xerox machine 20
2 times to enlarge it, and every single time you send it
3 through, it gets bigger and bigger, but it becomes
4 less clear and less clear. Is that correct?

5 A I can accept that, yes.

6 Q Yes. Okay. So that's a proper analogy.

7 A Yes.

8 Q So if there was a positive at a lower
9 cycle --

10 A A lower cycle, yes.

11 Q -- would that support your opinion that
12 that's probably a reliable result?

13 A In general, what people believe is that CTs
14 below 35 are acceptable. Anything above 35 makes them
15 slightly concerned, if you haven't added the proper
16 controls.

17 If you have proper controls, and this is
18 where the standard curve comes in, and that's why it's
19 essential that the standard curve dynamic range
20 encompasses all of your unknowns.

21 If your standard curve encompasses something
22 that's 39, and your standard curve ends at 39
23 reliably, then you can believe that result. If your
24 standard curve ends at 3,000, you can't believe that
25 result.

2045A

BUSTIN - CROSS

1 Q Okay. So the concern really is with low
2 copy numbers and high cycles, high number of runs.

3 A Yes.

4 Q And these are the graphs that you've shown
5 us.

6 A Yes.

7 Q What about a high copy number?

8 A Uh-huh.

9 Q Is there anything wrong with a high copy
10 number?

11 A There can be. Can I?

12 Q Certainly.

13 A If you look at the figure I showed you from
14 my lab, which is Slide 12 -- have you got that? If
15 you look at the first slope that is slightly more
16 horizontal, you can see there is a green line just
17 next to it. Can you see that?

18 Q Are you referring to this line?

19 A My eyesight is terrible. There is this -- I
20 can point to the --

21 SPECIAL MASTER HASTINGS: Can you point up
22 there, Doctor?

23 THE WITNESS: You can see this green line
24 here. Can you see it?

25 MS. CHIN-CAPLAN: I do.

2046A

BUSTIN - CROSS

1 THE WITNESS: Now, if you look at the CT
2 here, that's below 35.

3 MS. CHIN-CAPLAN: Okay.

4 THE WITNESS: It's about 33.

5 MS. CHIN-CAPLAN: Okay.

6 THE WITNESS: So that is not a low copy
7 number. That's medium-to-low, but it's acceptable,
8 and you can see, that's looking odd. It is quite
9 possible, and I haven't got it here, but it is
10 possible to get funny results here as well.

11 It is not necessarily a condition of an odd
12 run, an odd amplification plot, to have very low copy
13 numbers, but, in general, lower copy numbers give you
14 poor results.

15 MS. CHIN-CAPLAN: Okay.

16 THE WITNESS: I can point to this one here,
17 which actually is quite a nice plot, and you can see,
18 it doesn't even come up here. It's a very, very
19 little amount of target there, but, nevertheless, it's
20 an acceptable amplification plot.

21 You can't generalize. I think you're right
22 to say, if you have high CTs, you tend to be
23 concerned, and you're right there, yes.

24 BY MS. CHIN-CAPLAN:

25 Q Yes. Okay. What if you have high copy

2046B

BUSTIN - CROSS

1 numbers?

BUSTIN - CROSS

1 Would they be considered accurate?

2 A By "high copy numbers," I mean low CTs.

3 Q Yes.

4 A In general, what you find is, because you
5 run duplicates, when you have low CTs, they are very
6 concordant, yes.

7 Q Very concordant, meaning they are accurate?

8 A Yes.

9 Q Okay. Doctor, I'm going to try this one
10 more time. Is this you?

11 A Yep.

12 SPECIAL MASTER HASTINGS: You're showing
13 from his Web site?

14 MS. BABCOCK: This is Dr. Bustin's Web site,
15 yes.

16 SPECIAL MASTER HASTINGS: Okay.

17 BY MS. CHIN-CAPLAN:

18 Q Doctor, is your Web site sponsored by
19 somebody?

20 A Well, by "sponsoring," what happens is this
21 is a British company that pays 4,000 pounds to my
22 Ph.D. student, who is a Chinese person and has no
23 support. He pays for himself. So they have kindly
24 agreed to pay 4,000 pounds. In return, I've put that
25 on my Web site, yes.

BUSTIN - CROSS

1 Q It's Quantace. Am I pronouncing that
2 correctly?

3 A Quantace, yes.

4 Q What is Quantace's business? What do they
5 do?

6 A They produce kits for PCR.

7 Q Kits for PCR?

8 A -- PCR, yes.

9 Q The things that we're talking about right
10 now?

11 A Yeah.

12 Q Doctor, are they primers? Is that it?

13 A Are they what?

14 Q Are they primers?

15 A You mean, do they produce primers?

16 Q Yes.

17 A No. They produce kits: restriction enzyme,
18 reverse transcriptase, master mixes, or nucleotides,
19 that kind of thing.

20 Q So some of the experiments that we've been
21 talking about, they produce kits for.

22 A In principle, yes, but not for the ABI,
23 obviously, uh-huh.

24 Q Not for? I'm sorry?

25 A Not for the TaqMan instrument that we were

2049A

BUSTIN - CROSS

1 talking about for the --

2 Q Okay. Now, Doctor, if we look under
3 Quantace, it says: "Our ever-expanding product range.
4 Our SensiMix reagent is unique, as it can be used both
5 for probe- and for cyber-based assays."

6 A Uh-huh.

7 Q "Each product from the SensiMix range comes
8 from a separate vile of siry green one, which can be
9 added to the mix when this type of chemistry is
10 desirable."

11 A Right.

12 Q And, Doctor, does Quantace provide a free
13 sample to a lab who requests it?

14 A I think they have special sample packs, yes,
15 but I think all companies do that, or most companies
16 do that, yes.

17 Q Okay. It says: "We strongly believe in a
18 philosophy of try before you buy and are happy to
19 offer free samples of our SensiMix reagent for
20 testing."

21 A Uh-huh.

22 Q So they do offer a free kit if you want a
23 free kit.

24 Doctor, is this a private company, or is it
25 a public company? Is it on the stock exchange?

BUSTIN - CROSS

1 A No. It's a very small company.

2 Q A very small company?

3 A I presume it's a private company. I don't
4 actually know, but, yes, I presume it's private. It's
5 not stock market listed.

6 Q Do you have any financial interest in this
7 company?

8 A No.

9 Q So what they do is they --

10 SPECIAL MASTER HASTINGS: He shook --

11 THE WITNESS: I'm sorry. No, I do not. I
12 do not know.

13 BY MS. CHIN-CAPLAN:

14 Q So you don't get any profits from every
15 single kit that's sold.

16 A I wish I would.

17 Q You would be a rich man.

18 A No, I don't.

19 Q Now, Doctor, there was just some brief
20 discussion about your work in the U.K.

21 A Uh-huh.

22 Q I think you indicated that you spent roughly
23 1,500 hours at 150 pound sterling.

24 A That's correct.

25 Q What was the exchange rate at that time? Do

2051A

BUSTIN - CROSS

1 you know?

2 A I don't know. It's 190 now. It was less
3 then. At the moment, it would be roughly \$400,000,
4 wouldn't it?

5 Q So if I remember correctly, you said you
6 received 225 pounds sterling.

7 A It was roughly 220,000. I can't remember
8 the exact number, but it's that ball park figure,
9 within 10,000, I'm sure.

10 Q Today's exchange rate, right now, that would
11 be the equivalent of \$450,000.

12 A Yes.

13 Q We know it was a little less back then,
14 wasn't it?

15 A It makes no difference to me because I don't
16 deal in dollars. I got 225,000 pounds.

17 Q Frightfully expensive.

18 A I agree. I feel uncomfortable about getting
19 these types of sums. However, I feel that I was asked
20 to do a job, and I think I've done the job very well,
21 in my own opinion, and I came up with an explanation
22 for the problems associated with the Unigenetics
23 results. So I think that's not unreasonable. I would
24 have done it for less.

25 Q May I ask you what you're getting paid right

BUSTIN - CROSS

1 now for this testimony?

2 A Yes, sure. It's about 60,000 pounds.

3 Q Sixty thousand pounds. At today's exchange
4 rate, that would be \$120,000.

5 A Sorry? Yeah, that's right, but I get taxed
6 at 40 percent plus --

7 Q For me, in the U.S., that's roughly
8 \$120,000. Is that it?

9 MR. MATANOSKI: I don't think that that's
10 accurate.

11 THE WITNESS: What isn't? It doesn't
12 matter.

13 (Laughter.)

14 MR. MATANOSKI: For the record, Professor
15 Bustin will be paid at the same rate that our other
16 experts are paid.

17 THE WITNESS: Sorry. I misunderstood the
18 question. I thought you said, what I'm being paid at
19 work. I misunderstood your question. I'm sorry. I
20 misunderstood the question. I thought you asked me
21 what my salary was.

22 (Laughter.)

23 THE WITNESS: Could you repeat the question,
24 please? Sorry. I didn't mean to give you a heart
25 attack.

2053A

BUSTIN - CROSS

1 SPECIAL MASTER HASTINGS: Mr. Matanoski, any
2 excess will come out of your salary.

3 (Laughter.)

4 SPECIAL MASTER HASTINGS: Go ahead, Ms.
5 Chin-Caplan. Why don't you ask it again?

6 THE WITNESS: I'm sorry.

7 BY MS. CHIN-CAPLAN:

8 Q I guess, for the record, we should be clear.
9 What are you being paid for this particular hearing?

10 A It's \$250 an hour while I'm here and \$125
11 while I'm traveling, and nothing while I'm sleeping,
12 I think. And also my airfare and hotel is being paid
13 for.

14 Q The U.K. litigation; was that paid for by
15 the government or by the pharmaceuticals?

16 A I don't know. What happened was the
17 instructions came from the solicitors, and I got the
18 checks from the solicitors. I assume it was the
19 companies that paid for me, but I actually never -- I
20 didn't ask. I was happy to get the check.

21 Q When you say "company," do you mean the
22 pharmaceutical companies?

23 A Yes. There's three. There's Merck,
24 Aventis, and GSK.

25 Q Thank you. Now, Doctor, I just want to be

2053B

BUSTIN - CROSS

1 clear. You talked about GAPDH?

BUSTIN - CROSS

1 A Yes.

2 Q Is that a control?

3 A Yes. Do you want me to expand or you just
4 ask me?

5 Q Certainly.

6 A Which way do you want me to do it?

7 Q You should expand.

8 A GAPDH is a cellular gene. So a measles
9 virus is a virus, an extra-cellular organism. GAPDH
10 is a cellular gene. So every cell in our body
11 expresses this gene. So it is a very useful thing to
12 use as a measure of whether an RNA sample, if
13 extracted, contains RNA.

14 Because, as I tried to explain, but
15 obviously I didn't quite get it right: If there is no
16 GAPDH in your RNA, you would conclude that there is a
17 problem with the RNA sample, because there should be
18 GAPDH there. So you'd be concerned with any result
19 you obtained if you had not got a GAPDH positive
20 result.

21 Q Okay. But you consider GAPDH to be an
22 adequate control, is that it?

23 A It depends what you're using it for. In
24 this situation, yes. If you're using it to normalize
25 it against a cellular gene, no. But these are two

BUSTIN - CROSS

1 separate issues.

2 I think in this very specific situation, if
3 I understand you correctly, you're asking me: Do I
4 consider GAPDH as an adequate control to test for the
5 presence or absence of RNA, I would say: Yes.

6 Q Thank you.

7 A Can I add to this?

8 Q Sure.

9 A And that's, of course, while the SOP from
10 Professor O'Leary's lab has used this to say: If a
11 result is GAP negative, that sample should be
12 discarded, so that's the reason behind that.

13 Unfortunately, of course, in certain
14 segments, we continue to use those samples.

15 Q Okay. Now, Doctor, I'm not sure I've read
16 this properly, so I'd like you to look at it for me.
17 This is your June 30, 2003 report.

18 A Okay.

19 Q On page 41, paragraph 6.11, it says: The
20 reported concordance between GAPDH replicates is
21 generally good.

22 A Yes.

23 Q This is true even for those samples where
24 cycles are high.

25 A Hmm.

BUSTIN - CROSS

1 Q So am I understanding that when you use
2 GAPDH as a control, when you evaluate the O'Leary lab,
3 it's concordance with the samples, even when the cycle
4 number was high, was good?

5 A Yes --

6 Q Have I interpreted that correctly?

7 A Do you want an yes/no answer, or an
8 explanatory answer, I'm not sure.

9 Q I want you to explain. I need to
10 understand.

11 A I'm sorry. I'm just not familiar with the
12 procedure.

13 SPECIAL MASTER HASTINGS: Can you ask the
14 question again?

15 MS. CHIN-CAPLAN: Certainly, I think.

16 SPECIAL MASTER HASTINGS: I've read the
17 quote, but --

18 BY MS. CHIN-CAPLAN:

19 Q Doctor, are you saying in this paragraph
20 that the concordance between the control in the
21 sample, when the cycle number was high, was good, even
22 though the cycle number was high?

23 A If you have a good assay, even if your Ct's
24 are very high, you will get good concordance at those
25 high Ct levels.

2057A

BUSTIN - CROSS

1 So this suggests that the assay to test for
2 GAPDH was a reasonably good assay because you were
3 getting concordance at the high Ct levels, unlike the
4 F gene where you weren't getting the concordance. So
5 one assay good, one assay not so good.

6 Q So this refers to the GAPDH?

7 A That's right.

8 Q When the cycle number is high, would that
9 also include low-copy numbers?

10 A By definition, a high-cycle number means
11 high-copy number, so a low-copy number it means low-
12 copy number.

13 Q Doctor, you spoke about the international
14 collaborative study that was done with Dr. Afzal.

15 A Yes, I just -- all I said was that I believe
16 that Unigenetics when invited by Dr. Afzal to
17 participate, apparently did not. That's all I know.

18 Q And there were a number of other labs that
19 didn't participate as well, correct?

20 A I really don't know.

21 Q Okay. I'm going to ask you to take a look
22 at Petitioners' Exhibit 63, Tab B, 63 Tab B? You
23 don't have it?

24 MR. MATANOSKI: It's one of your exhibits?

25 MS. CHIN-CAPLAN: Right.

2058A

BUSTIN - CROSS

1 MR. MATANOSKI: I didn't bring it.

2 MS. CHIN-CAPLAN: You mean you didn't bring
3 my exhibits.

4 SPECIAL MASTER HASTINGS: 63, Tab B, did you
5 say?

6 MS. CHIN-CAPLAN: Tab B.

7 SPECIAL MASTER HASTINGS: Tab B.

8 MS. CHIN-CAPLAN: As in boy.

9 SPECIAL MASTER HASTINGS: All right.

10 MR. MATANOSKI: Actually, we do have a copy
11 of that.

12 MS. CHIN-CAPLAN: Great.

13 BY MS. CHIN-CAPLAN:

14 Q I'm looking at the results page, which is
15 page 173. Doctor, it says that originally thirteen
16 laboratories had an established a record of measles
17 virus --

18 SPECIAL MASTER HASTINGS: Can you go to the
19 beginning of the results section?

20 MS. CHIN-CAPLAN: Yes, the beginning of the
21 results. Let me begin again. I've lost my place.

22 BY MS. CHIN-CAPLAN:

23 Q Originally, thirteen laboratories that had
24 an established record of measles virus RT-PCR work
25 invited to participate in the study. Of these

2058B

BUSTIN - CROSS

1 thirteen laboratories, four did not show interest for

BUSTIN - CROSS

1 the study; one received the samples, but did not
2 complete the study; and one responded positively in
3 the beginning but failed to cooperate later.

4 So, of the 13, six, almost half, chose not
5 to cooperate.

6 A Yes.

7 Q So, Doctor, further on in that paragraph, it
8 says: "In total, seven laboratories completed the
9 study successfully. The results of RT-PCR or nested
10 PCR assays reported by various laboratories are
11 summarized in Table 3. Despite technical differences
12 between the assays, the findings were mostly in
13 agreement. Of the samples supplied, no laboratory
14 detected measles virus sequence in any of the Crohn's
15 disease patients with the exception of Laboratory 5,
16 which reported an ambiguous result for Sample A."

17 Doctor, when you go back to page 172, does
18 it identify who Laboratory 5 is?

19 A Yes.

20 Q Who is it?

21 A Judy Beeler, Laboratory of Pediatric &
22 Respiratory Virus Diseases.

23 SPECIAL MASTER HASTINGS: Can you say that
24 again? I didn't hear it.

25 THE WITNESS: Sorry. Laboratory of

Heritage Reporting Corporation
(202) 628-4888

BUSTIN - REDIRECT

1 Pediatric & Respiratory Virus Diseases in Bethesda,
2 Maryland.

3 BY MS. CHIN-CAPLAN:

4 Q And the establishment was known as CBER/FDA,
5 wasn't it?

6 A Yes.

7 MS. CHIN-CAPLAN: I don't think I have any
8 further questions, Special Master.

9 SPECIAL MASTER HASTINGS: All right. Give
10 me a moment here, Doctor. No, I think all my
11 questions have been asked as well.

12 Any redirect for this witness?

13 MS. BABCOCK: Just briefly, Special Master.

14 SPECIAL MASTER HASTINGS: Okay. Go ahead.

15 REDIRECT EXAMINATION

16 BY MS. BABCOCK:

17 Q Now, Dr. Bustin, for your work in the U.K.,
18 we know you were compensated for the substantial
19 amount of time you spent. Again, this is a bit of
20 repetition. Was it expected that you were going to
21 reach a certain conclusion, or were you told you
22 should be independent?

23 A No. I think I've already said that.
24 Everyone made it very clear to me that my prime duty
25 was to establish truth for the court, and I've always

2061A

BUSTIN - REDIRECT

1 assumed well, I know that it was genuine and I've
2 always followed that instruction.

3 I've given my opinions and my conclusions
4 based on the actual data that I have seen and
5 analyzed. And I think I've shown you Unigenetic's own
6 data, so these aren't my data, my interpretation of
7 the data. These are actually their data. So that's
8 the conclusion any reasonable person, who looks at
9 that data, would come to.

10 Q Now, Michelle Cedillo's biopsy sample
11 results, if taken at face value, has a high-copy
12 number?

13 A Yes.

14 Q Have you outlined reasons today why you
15 might doubt the validity of that result?

16 A Well, can I explain? I can. The way you
17 obtain a copy number, as you see, is by running a
18 standard curve. So you make an RNA, and then you work
19 out how much RNA you've got. You know the length of
20 the RNA molecule you've got; and knowing those two
21 parameters, you can work out that you've got 15,000
22 copies of RNA in your tube.

23 So you say: My first tube contains 15,000.
24 Then my second one would have contained 1,500, 150, 15
25 and then so on. So the copy number you obtain is

2062A

BUSTIN - RECROSS

1 determined entirely by your calculations of copy
2 numbers based on your standard curve.

3 Did I make that clear?

4 SPECIAL MASTER HASTINGS: No.

5 THE WITNESS: I'll try again.

6 SPECIAL MASTER HASTINGS: No, not to me.

7 THE WITNESS: Okay.

8 REDIRECT-EXAMINATION

9 BY MS. BABCOCK:

10 Q You're saying this is a very subjective
11 process?

12 A No, I think it's important that I get this
13 right. A standard curve is used to quantitate copy
14 numbers. This is one of the powerful aspects of real-
15 time PCR.

16 The way you prepare the standard curve is:
17 You make RNA, then you quantitate the RNA. Let's say
18 that you've got ten nanograms of RNA. You know the
19 length of the RNA that you're trying to quantitate
20 because that's your target.

21 Because you know the length and the weight
22 of the RNA, you can work out how many molecules there
23 are plus or minus, you know, 200 percent probably in
24 your test tube.

25 So let's assume we've made RNA and in our

Heritage Reporting Corporation
(202) 628-4888

BUSTIN - RECROSS

1 concentrated first tube, we've got 100,000 copies of
2 RNA. There are 100,000 copies of RNA not because
3 there are 100,000 copies of RNA, but because I've
4 calculated that there is 100,000 copies based on what
5 I know about it, but I could be out by 100 percent.
6 There could be only 50,000 copies, or there could be
7 150,000 copies.

8 I now take that very first tube and dilute
9 it one-in-ten, which gives me the second point on my
10 standard curve. I dilute it again one-in-ten, and so
11 on.

12 So I end up with a standard curve that
13 started the 100,000 and ends at ten copies. I now run
14 my unknown, and because I've defined myself what the
15 standard curve quantification is, I can then work out
16 the copy number of my unknown. But if I hadn't called
17 it 100,000, if I've called it a million, I would have
18 ten times more of my unknown.

19 If I've called it 10,000, I would have ten
20 times less. So it is a very subjective measure of the
21 actual copy number that is there unless I know how I
22 have calculated it, unless you know how I have
23 calculated my copy numbers.

24 So, simply saying: And Gene X is expressed
25 at 150,000 copies, without any further information, is

2064A

BUSTIN - RECROSS

1 meaningless because I do not know whether I can rely
2 on your calculations.

3 And from the evidence I've shown you in
4 terms of the Ct's, that Unigenetics are obtaining for
5 their samples, you can see because we've just
6 discussed this with Ms. Chin-Caplan, they're always
7 very, very high. So they're always borderline.

8 A copy number of 1.5 times ten-to-the-three
9 would suggest a fair number of copies I have a fairly
10 lowish Ct, although still in the 30s, but,
11 nevertheless, not a borderline CT.

12 Is that clear?

13 Q Let me ask the question this way, Dr.
14 Bustin. If you don't have confidence in how that
15 standard curve is set up, can you have confidence in
16 the copy number, or the results --

17 A No.

18 Q -- for a particular run?

19 A No, you can't, not in the quantification.

20 Q Okay. So that's dealing strictly with
21 quantification --

22 A Yes.

23 Q -- of the amount of RNA --

24 A That's correct.

25 Q -- in your sample? It is not whether the

2065A

BUSTIN - RECROSS

1 sample is positive or negative?

2 A That's a different question.

3 Q So we're dealing with two separate analyses?

4 A Yes, yes.

5 Q Quantification -- well, first, you would
6 give it a positive, I suppose. And then you would
7 say: How many copies are there if there is a positive
8 result?

9 A That's right. It is always safer to say
10 that something is there or not there, than to say:
11 Well, you know, there is 5,025 copies. It's always
12 safer to say yes or no.

13 Q Now, in addition to the problems with the
14 standard curve, in a lab that you know or strongly
15 suspect has significant problems with contamination,
16 are any of the positives reliable?

17 A Are F positives in cases -- reliable, is
18 that the question?

19 Q Yes.

20 A Or any positives when some occurred, for
21 example?

22 Q A positive result when you know that there
23 is a problem of contamination in the lab?

24 A If you have a lot of copy numbers, for
25 example, the high-copy numbers in your standard curve,

2065B

BUSTIN - RECROSS

1 they are reliable because contamination typically

2066A

BUSTIN - RECROSS

1 occurs at the higher end of the spectrum, so Ct's of
2 35 onwards.

3 So if you have a Ct in your standard curve,
4 for example, of 15, you'll believe that. If you have
5 a Ct of 25, you probably still believe it. But if the
6 Ct in your unknown is 35, and the Ct in your negative
7 control is 35, then clearly you don't know what is
8 what.

9 So we have a rule-of-thumb: If there's a
10 difference of 5 Ct's between a positive and a negative
11 control, and the lowest Ct that your unknown has, you
12 might want to believe it, but we would still recommend
13 that you repeat the assay.

14 But anything closer than 5 Ct's, you would
15 have to report it as a positive in the NTC. So, in
16 principle, if your NTC is positive, you always doubt
17 your assay. If your unknowns are at very high Ct's,
18 you also doubt your assays; and you would certainly
19 repeat it.

20 There is a very neat trick you can use to
21 confirm the validity of the result, the quantitative
22 result. Because we know from the PCR, if you double
23 the amount of input, you reduce your Ct by one because
24 each Ct is a doubling.

25 So if I find that I'm getting unreliable

Heritage Reporting Corporation
(202) 628-4888

2067A

BUSTIN - RECROSS

1 results at Ct's of 35 say, I would simply double or
2 triple the amount of RNA that I put into my assay.
3 What I would expect to see is that the Ct will go down
4 by one or two, it could have doubled or tripled the
5 amount of input of DNA.

6 If I see that, if I see the response that I
7 expect, I would have more confidence in my data. And
8 if I add ten times the amount of RNA and I still don't
9 get a difference; or if I use denatured RNA, or
10 formula fixed RNA, and always get the same result,
11 that is such classical evidence of contamination, that
12 is just so typical of contamination.

13 So any high Ct is suspect, but there are
14 ways of working on whether something is right or not.
15 And you can use multiple curves, which you referred to
16 earlier today; you can use sequencings which they call
17 standard, which you should have done.

18 But, in the absence of all of that, you look
19 at your controls. If your negative control is
20 positive, at a Ct that is close to your unknown, you
21 get worried.

22 SPECIAL MASTER VOWELL: Dr. Bustin, I'm just
23 trying to keep all the initials straight, NCT?

24 THE WITNESS: NCT.

25 SPECIAL MASTER HASTINGS: I think you said

2068A

BUSTIN - RECROSS

1 NTC.

2 SPECIAL MASTER VOWELL: I'm sorry, thank
3 you.

4 THE WITNESS: No template control.

5 SPECIAL MASTER VOWELL: Okay, thank you.

6 MS. BABCOCK: I don't have any further
7 questions.

8 SPECIAL MASTER VOWELL: Your comments there
9 raised this issue. What we're seeing in Michelle
10 Cedillo's lab results from the Unigenetics Lab is a
11 high-copy number?

12 THE WITNESS: Yes.

13 SPECIAL MASTER VOWELL: Does that mean that
14 because there's a high-copy number that there were a
15 large number of runs?

16 THE WITNESS: Of Ct's you mean?

17 SPECIAL MASTER VOWELL: Yes, of Ct's.

18 THE WITNESS: We can't tell because we
19 haven't got the information. It is entirely
20 subjective.

21 SPECIAL MASTER VOWELL: That's what I
22 thought I understood, but I wanted to make sure.

23 THE WITNESS: It would have been better to
24 record a Ct and a copy number with a standard curve.
25 That would have been the ideal way to do it.

2068B

BUSTIN - RECROSS

1

SPECIAL MASTER VOWELL: Thank you.

BUSTIN - FURTHER RECROSS

1 SPECIAL MASTER HASTINGS: Anything further
2 for this witness?

3 MS. CHIN-CAPLAN: Just one last question.

4 SPECIAL MASTER HASTINGS: Go ahead.

5 FURTHER RECROSS-EXAMINATION

6 By MS. CHIN-CAPLAN:

7 Q Doctor, do you have any personal knowledge
8 of Michelle Cedillo's sample?

9 A You mean have I analyzed it?

10 Q Yes.

11 A I have no idea. I don't know because I -- I
12 can't tell you that because I just don't know. There
13 is a list of names I suppose, but a: I can't refer to
14 them; and b, I have no idea -- I never was interested
15 in the names obviously of the people. I was just
16 looking at basically numbers, looking at the samples.
17 I have no idea.

18 Q Okay, thank you.

19 SPECIAL MASTER HASTINGS: All right. I take
20 it that that concludes the testimony for today.

21 Tomorrow, I understand we have Dr. McCusker
22 and Dr. Hanauer, is that correct?

23 MR. MATANOSKI: Yes, sir.

24 SPECIAL MASTER HASTINGS: Can you tell us
25 which will go first?

2070

BUSTIN - FURTHER RECROSS

1 MR. MATANOSKI: I believe it's going to be
2 Dr. Hanauer first, sir.

3 SPECIAL MASTER HASTINGS: All right. Then,
4 just for those who are following along from home, my
5 understanding then is that on Friday we're planning to
6 hear very briefly from Dr. Chadwick in the morning;
7 and then Dr. Brent after that.

8 MR. MATANOSKI: That's correct, sir.

9 SPECIAL MASTER HASTINGS: And Monday, it's
10 still Dr. Griffin and Dr. Fombonne.

11 MR. MATANOSKI: Correct, sir.

12 SPECIAL MASTER HASTINGS: All right. With
13 that, we are adjourned for today. We're going to
14 start again at 9:00 a.m. tomorrow morning.

15 Thank you all.

16 (Whereupon, at 4:45 p.m., the hearing in the
17 above-entitled matter was adjourned, to reconvene
18 Thursday, June 21, 2007, at 9:00 a.m.)

19 //

20 //

21 //

22 //

23 //

24 //

25 //

2071

REPORTER'S CERTIFICATE

DOCKET NO.: 98-916V
CASE TITLE: Theresa Cedillo v. HHS
HEARING DATE: June 20, 2007
LOCATION: Washington, D.C.

I hereby certify that the proceedings and evidence are contained fully and accurately on the tapes and notes reported by me at the hearing in the above case before the Office of Special Masters.

Date: June 20, 2007

Christina Chesley
Official Reporter
Heritage Reporting Corporation
Suite 600
1220 L Street, N.W.
Washington, D.C. 20005-4018